

Volume 19

Pages 3610 - 3848

UNITED STATES DISTRICT COURT
NORTHERN DISTRICT OF CALIFORNIA

Before The Honorable Jeffrey S. White, Judge

UNITED STATES OF AMERICA,)	
)	
Plaintiff,)	
)	
VS.)	NO. CR 11-00573 JSW
)	
WALTER LIEW; ROBERT MAEGERLE;)	
and USA PERFORMANCE TECHNOLOGY,)	
INC.,)	
)	
Defendants.)	
)	

San Francisco, California
Tuesday, February 11, 2014

TRANSCRIPT OF PROCEEDINGS

APPEARANCES:

For Plaintiff:

MELINDA HAAG
United States Attorney
450 Golden Gate Avenue
San Francisco, California 94102

**BY: PETE AXELROD
JOHN H. HEMANN
ASSISTANT UNITED STATES ATTORNEYS**

U.S. DEPARTMENT OF JUSTICE
600 E Street NW
Washington, D.C. 20044

**BY: RICHARD S. SCOTT
ASSISTANT U.S. ATTORNEY**

(APPEARANCES CONTINUED ON FOLLOWING PAGE)

Reported by: Jo Ann Bryce, CSR No. 3321, RMR, CRR, FCRR
Official Reporter

1 **APPEARANCES:** (CONTINUED)

2 For Defendant Walter Liew and USA Performance Technology, Inc.:
3 KEKER & VAN NEST LLP
4 633 Battery Street
5 San Francisco, California 94111

6 **BY: STUART L. GASNER**
SIMONA A. AGNOLUCCI
KATHERINE M. LOVETT
CHRISTINA BLAIS
ATTORNEYS AT LAW

7 For Defendant Robert J. Maegerle:
8 MCKENNEY & FROELICH
9 1349 West Peachtree Street
10 Two Midtown Plaza - Suite 1250
11 Atlanta, Georgia 30309

12 **BY: JEROME J. FROELICH, JR.**
13 **ATTORNEY AT LAW**
14
15
16
17
18
19
20
21
22
23
24
25

I N D E X

Tuesday, February 11, 2014

DEFENDANTS' WITNESSESPAGE VOL.SANGHI, SUDHA (RECALLED)

(PREVIOUSLY SWORN)

3632 19

Direct Examination resumed by Ms. Lovett

3632 19

Cross-Examination by Mr. Scott

3649 19

COOPER, PAUL ANTHONY

(SWORN)

3651 19

Direct Examination by Mr. Gasner

3652 19

Voir Dire Examination by Mr. Axelrod

3670 19

Direct Examination resumed by Mr. Gasner

3685 19

E X H I B I T STRIAL EXHIBITSIDEN EVID VOL.

900

3651 19

1400

3647 19

1433

3633 19

1439

3776 19

1477

3742 19

1910

3726 19

1994

3635 19

1995

3636 19

1996

3636 19

2175

3637 19

2176

3639 19

2177

3639 19

2178

3639 19

I N D E XE X H I B I T STRIAL EXHIBITS IDEN EVID VOL.

4	2179		3639	19
5	2180		3639	19
6	2181		3639	19
7	2182		3639	19
8	2183		3639	19
9	2184		3639	19
10	2185		3639	19
11	2186		3639	19
12	2187		3639	19
13	2188		3639	19
14	2234		3645	19
15	2237		3646	19
16	2246		3736	19
17	2252		3738	19
18	2256		3717	19
19	2290		3767	19
20	2299		3815	19
21	2326		3793	19
22	2775		3649	19
23	2940		3642	19
24	3005		3644	19

25

I N D E X

E X H I B I T S

TRIAL EXHIBITS

IDEN EVID VOL.

3171	3773	19
3505	3641	19

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1 Tuesday - February 11, 2014

7:37 a.m.

2 P R O C E E D I N G S

3 ---000---

4 (Proceedings were heard out of the presence of the jury:)

5 **THE COURT:** Good morning, everybody. Please be
6 seated.

7 Please call the case.

8 **THE CLERK:** Calling Case Number CR-11-573,
9 United States versus Walter Liew, United States versus Robert
10 Maegerle, and United States versus USAPTI.

11 Counsel, please state your appearances.

12 **MR. AXELROD:** Good morning, Your Honor. Pete Axelrod,
13 John Hemann, and Richard Scott for the United States.

14 **THE COURT:** Good morning.

15 **MR. HEMANN:** Good morning, Your Honor.

16 **MR. GASNER:** Good morning, Your Honor. Stuart Gasner,
17 Simona Agnolucci, and Katie Lovett for defendants USAPTI and
18 Mr. Liew, who is present.

19 **THE COURT:** Good morning. Good morning, Mr. Liew.

20 **MR. FROELICH:** Good morning, Your Honor. Jerry
21 Froelich for Mr. Maegerle. Mr. Maegerle is standing next to me
22 here in court.

23 **THE COURT:** Good morning. Good morning, Mr. Maegerle.

24 **DEFENDANT MAEGERLE:** Good morning, Your Honor.

25 **THE COURT:** So I understand there are a couple of

1 issues to take up before the jury comes in?

2 **MR. AXELROD:** Yes, Your Honor. Before we do, since it
3 pertains to testimony of Mr. Cooper, I believe he's in the
4 courtroom, and I'd ask him to step outside.

5 **THE COURT:** Yes. Please, Mr. Cooper. He raised his
6 hand, and he's on his way out.

7 **MR. AXELROD:** Great. Thank you.

8 (Pause in proceedings.)

9 **THE COURT:** All right. He's gone.

10 **MR. AXELROD:** Great. So, Your Honor, a couple of
11 issues with respect to Mr. Cooper's testimony. The first
12 concerns the Ashtabula contract. The Court has ruled on our
13 objections, and we understand that -- and the parties have
14 worked out a stipulation. So we understand that that document
15 will come into evidence, but we don't think -- and that is the
16 contract that DuPont and Sherwin-Williams entered into relating
17 to the sale of that plant and technology.

18 We don't think that's an appropriate document to use with
19 Mr. Cooper. First of all, it was not an exhibit disclosed on
20 his expert disclosure. He's not a lawyer. I don't believe
21 he's seen it before he saw it in conjunction with this
22 litigation. And, so, I think we understand that the document
23 itself is going to come in, but to have Mr. Cooper talk about
24 it, it seems inappropriate in light of what he's here to
25 testify about, which is not legal documents. So that's the

1 first issue.

2 The second issue is -- and, you know, the parties have
3 been working together to sort of narrow the scope of documents
4 and exhibits that are still out there to be resolved, and the
5 Defense was going to call one of our agents and then the
6 paralegal and now has indicated they want to put in a number of
7 documents through Mr. Cooper. I think there's about 200 or
8 plus documents that the Defense may still seek to introduce.

9 The concern that the Government has, Your Honor, is that
10 we don't know which ones they're going to attempt to put in
11 through Mr. Cooper. They're not documents that he put on his
12 disclosure.

13 To the extent they're plans and things of that nature, I
14 don't know that we'll necessarily have an objection; but if
15 they're Walter Liew's emails, that's not something that an
16 expert in his field would rely on, and it's not appropriate to
17 have him admitting those exhibits.

18 So I just wanted to raise these issues. Hopefully, we can
19 sort of manage or narrow the scope of all the objections we're
20 going to have during his testimony and facilitate things
21 moving.

22 **THE COURT:** All right. What's your response?

23 **MR. GASNER:** Well, Your Honor, a couple of responses.
24 One, this ties in to our witnesses. We have Walt Conner still
25 on our list that we provided at 4:00 o'clock yesterday, who's

1 the DuPont custodian of records. We've asked the Government to
2 stipulate to the admissibility of the Sherwin-Williams
3 contract. And the indication that I've gotten is that, yes,
4 they no longer object. So I'd like to put that on the record
5 right now, if we could, and at the appropriate time admit it
6 into evidence. At least my understanding is they no longer
7 object in light of the Court's ruling to its admissibility as a
8 stand-alone document that we could argue in closing or
9 otherwise use.

10 **THE COURT:** All right. Is that correct?

11 **MR. HEMANN:** So, Your Honor, the Government is willing
12 to stipulate that it is a DuPont document, that it was located
13 by the DuPont Legal Department in the files of the DuPont
14 Legal Department, and that it is authentic.

15 We've objected on relevance and hearsay grounds to the
16 document. The Court has overruled our objection. We do not
17 stipulate to the admissibility. We stipulate to the
18 authenticity and understand the Court's ruling on the matter.

19 **THE COURT:** All right. Well, put another way, subject
20 to the objections that you've made and the ruling, which you
21 maintain, that would be the extent of your objections?

22 **MR. HEMANN:** Indeed, Your Honor.

23 **THE COURT:** All right. So I will -- with that, given
24 the Court's prior ruling, I will -- pursuant to that
25 stipulation, I will admit the document.

1 **MR. GASNER:** Very well, Your Honor.

2 So in that case we'll take Mr. Conner off our list.

3 What is left, we have really shrunk down the case at this
4 point. Mr. Cooper is going to testify after Ms. Sanghi, and I
5 think his testimony will probably take all of today and into
6 tomorrow.

7 We also have listed our paralegal, Cynthia Hernandez, and
8 the case agent, Cynthia Ho, as the two witnesses after him.

9 In responding to Mr. Axelrod's comment, we're not planning
10 to put in emails of Mr. Liew through Mr. Cooper, but what I do
11 hope to do is to address the notebooks that were identified by
12 FBI Agent White.

13 As the Court will recall, there were notebooks that had
14 all kinds of patent notes. The Court declined to admit those
15 in toto, which really puts the burden on us to go through each
16 page and identify what's relevant. I think Mr. Cooper is able
17 to talk about the patents that are listed in the Liew notebooks
18 and to talk about their significance to the jury.

19 And my plan would be to seek the admission of pages of
20 those notebooks through Mr. Cooper's testimony which relate --
21 which were disclosed. The notebooks were disclosed pursuant to
22 the Court's standing order. And that's our plan.

23 If I'm successful in accomplishing what I need to get into
24 evidence, then I think that the testimony of Ms. Hernandez
25 and/or Agent Ho just shrinks. The more I can get in with

1 Mr. Cooper, the less I need from them. So that's our plan.

2 In terms of Mr. Cooper talking about the Sherwin-Williams
3 agreement, I think I'll try to lay a foundation. When we get
4 to those questions, the Court can rule one way or the other;
5 and I'll either get his testimony, or I'll simply have the
6 agreement in evidence for argument.

7 **THE COURT:** All right. Yes, do you have a response to
8 that?

9 **MR. AXELROD:** Well, I just -- about the notebooks, you
10 know, I think what the Defense wants to have the notebooks for
11 is to somehow argue Walter Liew's state of mind, and I don't
12 think that's an issue that Mr. Cooper can address.

13 **THE COURT:** Well, I guess what Mr. Cooper would
14 address would be he's going to be talking about, I assume,
15 attempting to rebut Dr. Diemer and Gibney and the other
16 gentleman's testimony as to whether or not these items,
17 essentially, are trade secrets, were they publicly known,
18 et cetera. And to the extent that the proper foundation can be
19 laid as far as his consideration of these notebooks as, you
20 know, in his expert opinion about whether, you know, these
21 documents constitute trade secrets, I assume that would be
22 within the scope of his expertise.

23 I mean, so it's a different issue, however, about under
24 703, whether you can get the exhibits in. Remember, to the
25 extent that the documents are inadmissible, he's allowed -- and

1 a proper foundation can be laid, as the Court mentioned in its
2 previous order dealing with the financial expert, then he can
3 rely on those, but they don't come in; and it may or may not be
4 the case, depending upon the testimony, that the substance of
5 the exhibits would be made known to the jury.

6 So all this has to evolve. Just because a document was
7 found in the possession of one of the defendants, doesn't make
8 it admissible automatically. And that may be true of a lot of
9 the documents you're seeking to admit through Agent Ho or your
10 paralegal.

11 I have to rule on each one of those, and you're going to
12 have to make a showing of both relevance and the fact that
13 they're not hearsay. Because the way the rules are, the
14 Government can introduce communications or statements by the
15 defendant to show state of mind or as an admission of a
16 party -- or statement of a party opponent. When it comes to
17 the defendants, though, it becomes hearsay, as to which no
18 exception applies.

19 So I think I'm going to have to hear more, but I'm not
20 going to allow wholesale admission of documents just because
21 they happen to have been found in the defendant's possession.
22 That's not sufficient grounds.

23 **MR. GASNER:** Fair enough, Your Honor.

24 But my point -- first of all, it's been stipulated as to
25 these documents, and they were identified with Agent White, and

1 we have a further stipulation that they were not seized, and
2 they were taken from storage and provided to Keker & Van Nest.
3 So we have their provenance stipulated to as to where they came
4 from.

5 **THE COURT:** All right. So that may -- I don't know
6 what issue that relates to. I mean, that may relate -- to the
7 extent there's a chain of custody, that may relate to
8 authenticity, but that doesn't get you very far as far as
9 relevance and not being hearsay.

10 **MR. GASNER:** So the handwriting is also stipulated to.
11 And we believe that these documents go to state of mind in the
12 way that many other documents have come in during the case,
13 which is they show work by Mr. Liew, his awareness of the prior
14 art, and what's out there.

15 And I thought that the Court's reason for not admitting
16 the documents wholesale with the agent is the agent really
17 couldn't say what all these patents were. And that's our
18 point, is to prove that up with Mr. Cooper, that he can
19 identify patents and their relevance to this case and the
20 issues.

21 **THE COURT:** Well, that's fine. If he can say that
22 elements of or the totality of charged trade secrets were
23 embodied, to some extent, in publicly available documents, such
24 as patents and the like, I think that's fair game.

25 But to show generally that Mr. Liew is running, you know,

1 a, quote/unquote, legitimate business and he had -- was working
2 on these projects, that doesn't really get us -- that's not
3 relevant.

4 So I guess I'm going to have to hear -- I think you're
5 going to have to lay the foundation through the expert and to
6 the particular point you're making, which has to do with, I
7 assume, that his testimony, the expert's testimony, is in
8 relation to whether Mr. Liew was -- and USAPTI were in
9 possession of trade secrets, or are they not trade secrets
10 because they're in the public domain.

11 For those purposes I think I would allow it. But if we
12 start getting into just myriads of technical documents that
13 say, "Oh, the company -- Mr. Liew and his businesses had
14 projects that were legitimate," I don't think that's relevant
15 in this case.

16 **MR. GASNER:** That's not our plan.

17 **THE COURT:** All right.

18 **MR. GASNER:** I think the Court will be satisfied.

19 **THE COURT:** Okay. Well, I'll take the evidence and
20 the testimony as I find it, and I don't think we can do much
21 more with that at this point.

22 All right. Anything else? I know Mr. Froelich had one
23 issue, but anything else that you wanted to bring up,
24 Mr. Gasner?

25 **MR. GASNER:** My only tweak to the stipulation is that

1 in talking with DuPont counsel, I believe the information we
2 got is that the records came from Vital Records in a particular
3 building. I don't think they said it was from the Legal
4 Department.

5 **MR. HEMANN:** The Legal Department files are in -- the
6 DuPont file room, as I understand it, is called the Vital
7 Records Department, which means that both vital records and
8 shopping lists end up stored there. So we would not stipulate
9 without a witness' testimony that it came from the Vital
10 Records Department because it sounds more vital than it really
11 is.

12 **THE COURT:** Well, I think -- I think you don't need
13 more than the fact that the documents were, you know, found in
14 the possession of DuPont and are authentic. I don't think you
15 need to go further than that.

16 **MR. GASNER:** I'll accept Mr. Hemann's representation
17 that the information we got is consistent with what he got, but
18 I would -- would the Court prefer that we admit the document
19 now or in front of the jury?

20 **THE COURT:** I think in front of the jury.

21 **MR. GASNER:** Very well.

22 **THE COURT:** All right. Mr. Froelich, you have an
23 issue you want?

24 **MR. FROELICH:** Yes. I've told the Government and
25 cocounsel, and I wanted to inform the Court so Your Honor knows

1 the direction of the case is going, how long it's going to
2 take.

3 **THE COURT:** Okay.

4 **MR. FROELICH:** I've been working with Mr. Maegerle.
5 We've made -- he's made the decision that he's not going to
6 testify, Your Honor. So I wanted the Court and the Government
7 and everybody to know that for planning purposes and work
8 purposes.

9 **THE COURT:** Okay. Thank you.

10 And I'm assuming -- and at the appropriate time I think
11 after Mr. Liew and USAPTI rest, then I'll ask if you have any
12 further witnesses, and I would voir dire Mr. Maegerle outside
13 the presence of the jury.

14 So it sounds like that we will not complete testimony this
15 week. It sounds like if we're going to go all day with your
16 next expert and then we have the two bankruptcy/tax types --

17 **MR. GASNER:** I'm sorry, Your Honor. Just to complete
18 our disclosure of yesterday.

19 **THE COURT:** Okay.

20 **MR. GASNER:** We are not calling Mr. Cox or Mr. Klein
21 in light of the Court's ruling. We reserve our objections, but
22 what's left after the Court's ruling we think is not worthy of
23 further time. So we have told the Government that we're not
24 calling Mr. Klein or Mr. Cox.

25 **THE COURT:** So thinking out loud with you, then, if

1 your next expert after this witness finishes, how much more --
2 Ms. Lovett? Is she around here somewhere?

3 Oh, there you are. Hi.

4 How much more time do you think you have on direct with
5 her?

6 **MS. LOVETT:** 25 minutes max.

7 **THE COURT:** Okay. And what's your thinking about --

8 **MR. HEMANN:** Very brief, Your Honor.

9 **THE COURT:** Okay. So let's say 45 minutes, and then
10 we have the expert you're saying will take most of the day on
11 direct, and I imagine cross will be fairly substantial, which
12 sounds like, then, we will finish testimony sometime tomorrow,
13 which is Wednesday; right?

14 Then if we're completely done at that point, then we can
15 go back to our other schedule of arguing -- you know, we'll
16 take Thursday off or even have -- where are you folks on -- I
17 know the Government has stated that it's looked at the jury
18 instructions. Where is the Defense in terms of its objections?

19 **MR. FROELICH:** I'll have something to the Court. I
20 understand we had to have it by tomorrow sometime.

21 **THE COURT:** Yes.

22 **MR. FROELICH:** And I'll have something to the Court by
23 then.

24 **THE COURT:** All right. Are you guys pretty far along?

25 **MS. LOVETT:** We've reviewed the instructions and come

1 up with an initial reaction to each one, and we'll be ready by
2 tomorrow.

3 **THE COURT:** Okay. Great. So I think maybe -- we'll
4 see how we adjust the schedule to give everybody more time to,
5 you know, at least have some sort of a weekend -- is maybe,
6 depending on how things unfold today and tomorrow, to do the
7 charging conference sometime on Thursday. And then we'll just,
8 whenever we finish, we finish; and then we'll take off until
9 Tuesday morning, at which point we're going to have closing
10 arguments.

11 And let me -- while I have you here and we have a few
12 minutes, in terms of planning the closing argument, I want to
13 ask the Government and then I'll ask the Defense: In rough
14 terms, have you given any thought to how long you anticipate
15 your initial closing argument will be?

16 **MR. AXELROD:** I'm guessing about two hours,
17 Your Honor.

18 **THE COURT:** All right. Two hours. And, Mr. Gasner, I
19 know you haven't heard your expert yet, but --

20 **MR. GASNER:** Probably about the same, Your Honor.

21 **THE COURT:** So that's two hours. And --

22 **MR. FROELICH:** I won't go two hours, Your Honor.
23 That's just not what I do. But I would say it will be over an
24 hour.

25 **THE COURT:** Okay. So that's five hours, and then the

1 Government's response presumably will be 45 minutes to an hour,
2 I'm guessing. So that's five hours -- that's about six hours.

3 **MR. FROELICH:** I'd say give me an hour just to play it
4 safe.

5 **THE COURT:** I'm not going to cut you off. I don't do
6 that in criminal cases.

7 So it may well be that we can get all the -- and I would
8 be willing, if we're talking about a half hour here and
9 there -- I know the urban myths about, you know, recency and
10 primacy in closing arguments. I don't think any of it washes,
11 especially after a long trial.

12 But my hope would be to do all of the closing arguments on
13 Tuesday so that -- and if we had to go an extra -- because
14 we're talking about six hours. If we had to go an extra 30
15 minutes with the breaks, I'm sure the jury would indulge
16 because that's all -- they're not going to be getting the
17 case -- they wouldn't be getting the case until Wednesday
18 morning.

19 **MR. AXELROD:** The only factor to think about as well
20 is how long it's going to take the Court to instruct,
21 because --

22 **THE COURT:** I know, but that's going to be no more
23 than 45 minutes. So I would stretch a little bit just because
24 I know there's this issue about, you know, if there's an
25 overnight and the Government gets to go last. I had it in my

1 last criminal trial and we split it up, but it was -- it was an
2 awkward moment, I think, in terms of how it was split up
3 overnight.

4 So we'll work on that. And there are alternative ways,
5 and we can put our thinking caps on to figure out a way that we
6 can present this so that nobody gets an undue strategic
7 advantage or disadvantage.

8 So thinking out loud, depending on how correct your
9 estimates are, we'll work that out toward the end of today when
10 we'll know where you are on your direct and cross. And then
11 I'll set a time, maybe even Thursday morning or late morning on
12 Thursday, so we have plenty of time before the Court's criminal
13 calendar to finish the charging conference.

14 **MR. FROELICH:** Your Honor.

15 **THE COURT:** Yes, Mr. Froelich.

16 **MR. FROELICH:** I was thinking, Your Honor, I hate -- I
17 don't mean to -- I'm wondering if we should kind of give the
18 jury a heads-up that Tuesday may be a longer day.

19 **THE COURT:** I will, but not yet.

20 **MR. FROELICH:** No, I understand.

21 **THE COURT:** Because the way -- you know, if you
22 predict and you disappoint them -- but I will do that. Before
23 they break, I'll say, here's our current plan. I'll do it
24 during a break and say we may go an extra -- I don't think it
25 would be more than an extra 30 minutes or so. We'll give them

1 an extra break, natural break; would they have any problem with
2 doing that just to get all the closing arguments. If they can
3 stand to hear lawyers for six and a half hours, then that's up
4 to them.

5 But I think they've been a very attentive jury, so we'll
6 see. We'll play it by ear. If not, if they don't want to do
7 that or we don't feel we have the time, we'll figure out an
8 equitable way to split it.

9 One way to do it would be to have the Government, one --
10 let's pick a person -- Mr. Liew's side and then adjourn and
11 then do Mr. Maegerle's closing and the Government's rebuttal
12 the next day. So at least there's a Defense closing contiguous
13 to the Government's.

14 So that's another way to break this up. Otherwise,
15 there's no other way I can figure out how to do this. But
16 that's a possibility to think about as an alternative, and you
17 all can, on the Defense side, can talk about that and see
18 whether you would prefer that. And then it would be a little
19 bit more relaxed day and then the jury would get the case
20 midday the following day.

21 And then I always, just this is probably too far down the
22 road, but I always give the jury, if they wish -- they almost
23 never take the opportunity -- to deliberate on Friday. I allow
24 them to do that. Once they get the case, the schedule is
25 theirs; and, so, other than, you know, cajoling them that they

1 should at least work during the normal court day of 8:00 to
2 1:30, if they want to work later; or if they want to work on
3 Friday to deliberate and it's unanimously decided to do so,
4 then I will allow them to do so because I don't want to either
5 interfere with them or pressure them to come in on a day that
6 they weren't planning it. So we'll see what happens.

7 And I would suggest we bring in the jury.

8 **MR. GASNER:** One other quick point, Your Honor.

9 **THE COURT:** Yes, sure.

10 **MR. GASNER:** I notice that Mr. Dayton is in the
11 courtroom; and as the Court will recall, he was a lay witness
12 during the trial.

13 **THE COURT:** Right.

14 **MR. GASNER:** So we would object to him being a
15 rebuttal witness. It's, of course, always good to see
16 Mr. Dayton; but if he's going to watch testimony, then we would
17 argue that he could not be a rebuttal.

18 **MR. AXELROD:** He's not going to be a rebuttal witness
19 for the United States.

20 **THE COURT:** All right. There you go.

21 **MR. GASNER:** Last question, Your Honor. In terms of
22 the Court's practice with, if Mr. Cooper goes all day and then
23 he's still on direct, does the Court --

24 **THE COURT:** You can talk to him.

25 **MR. GASNER:** I can talk to him.

1 **THE COURT:** Once he goes on cross, then it's verboten.

2 **MR. GASNER:** Very well. Thank you.

3 **THE COURT:** All right. Let's get the jury.

4 (Proceedings were heard in the presence of the jury:)

5 **THE COURT:** Please be seated.

6 Good morning, everybody. I hope you had a pleasant

7 evening and afternoon.

8 And we are ready to continue. Since you all were on time,

9 we're on time as well. So just to remind you, we are in the

10 defendants' case, Mr. Liew and USAPTI, and we'll continue with

11 direct examination of this witness.

12 And I just wanted to remind you that you're still under

13 oath.

14 **THE WITNESS:** Yes.

15 **THE COURT:** Okay. Proceed.

16 SUDHA SANGHI,

17 called as a witness for the Defendants, having been previously

18 duly sworn, testified further as follows:

19 DIRECT EXAMINATION (resumed)

20 **BY MS. LOVETT:**

21 **Q.** Good morning, Ms. Sanghi.

22 **A.** Good morning.

23 **Q.** You'll recall -- do you recall that yesterday we spoke

24 about a number of process flow diagrams?

25 **A.** Yes.

1 **MS. LOVETT:** Your Honor, may I approach the witness
2 with Exhibit 1433?

3 **THE COURT:** Yes, you may.

4 **BY MS. LOVETT:**

5 **Q.** Ms. Sanghi, take a moment to review the pages of this
6 exhibit, but do you recognize this document?

7 **A.** Yes.

8 **Q.** What is it?

9 **A.** This is process flow diagrams.

10 **Q.** And did you work on these process flow diagrams?

11 **A.** Yes, I worked on them.

12 **Q.** Did you work on every page of these diagrams?

13 **A.** (Witness examines document.) Yes. Yes, I worked on every
14 page.

15 **Q.** And was your work on these diagrams part of your ordinary
16 responsibilities as working for Mr. Liew?

17 **A.** Yes. Yes, correct.

18 **MS. LOVETT:** Your Honor, I move to admit Trial
19 Exhibit 1433 into evidence.

20 **MR. SCOTT:** No objection.

21 **THE COURT:** Admitted.

22 (Trial Exhibit 1433 received in evidence)

23 **BY MS. LOVETT:**

24 **Q.** Ms. Sanghi, looking at this document, either on the screen
25 or in front of you, what do these process flow diagrams relate

1 to?

2 **A.** This is ore and coke petroleum handling system.

3 **Q.** Oil and coke petroleum handling system?

4 **A.** Ore, O-R-E.

5 **Q.** Got it.

6 And we looked at a number of different process flow
7 diagrams yesterday and today.

8 **A.** Uh-huh.

9 **Q.** Can you estimate how many process flow diagrams did you
10 work on in autoCAD while you worked for Mr. Liew?

11 **A.** I work many of them, but there's only one version, final
12 version. But since we have many and many changes and updates,
13 we have to save them, and again I made the changes. So
14 probably around a hundred I worked on, yeah.

15 **Q.** And there were many different versions?

16 **A.** Yes, correct.

17 **Q.** Thank you.

18 **MS. LOVETT:** Your Honor, may I approach the witness
19 with Exhibits 1994, 1995, and 1996?

20 **THE COURT:** You may.

21 (Pause in proceedings.)

22 **BY MS. LOVETT:**

23 **Q.** Ms. Sanghi, you can take a moment to look at these
24 documents.

25 **A.** (Witness examines documents.)

1 Q. Looking first at 1994, which I think is the one that
2 you're looking at right now --

3 A. Yes.

4 Q. -- do you recognize this document?

5 A. Yes.

6 Q. What is it?

7 A. This is chlorinator layer brick details.

8 Q. And did you work on this drawing?

9 A. Yes.

10 Q. Did you work on every page of Exhibit 1994?

11 A. Yes.

12 Q. And was your work on that drawing part of the ordinary
13 course of your job responsibilities?

14 A. Yes, correct.

15 MS. LOVETT: Your Honor, I move to admit Exhibit 1994
16 into evidence.

17 MR. SCOTT: No objection.

18 THE COURT: Admitted.

19 (Trial Exhibit 1994 received in evidence)

20 BY MS. LOVETT:

21 Q. Ms. Sanghi, what does this drawing relate to?

22 A. This is equipment specification drawing.

23 Q. An equipment specification drawing?

24 A. Uh-huh.

25 Q. And what part of the process does this relate to?

1 A. This is the chlorinator.

2 Q. And does this bear any relation to the chlorinator bricks?

3 A. Yes.

4 Q. Can you explain how this relates to the bricks?

5 A. I guess -- I'm not very sure, because it's been, like,
6 five years. So I'm not -- I cannot recollect all of them.

7 Q. Thank you.

8 Ms. Sanghi, looking at 1995 and 1996, which were also in
9 the pile I just gave you, do these -- do 1995 and 1996 also
10 relate to chlorinator bricks?

11 A. (Witness examines documents.) Yes.

12 Q. And did you also work on Exhibits 1995 and 1996?

13 A. Yes, I did.

14 Q. And did you do that work in the ordinary course of your
15 job responsibilities?

16 A. Yes, uh-huh.

17 MS. LOVETT: Your Honor, I move to admit Exhibit 1995
18 and 1996 into evidence.

19 THE COURT: Any objection?

20 MR. SCOTT: No objection.

21 THE COURT: Admitted.

22 (Trial Exhibits 1995 and 1996 received in evidence)

23 BY MS. LOVETT:

24 Q. And, Ms. Sanghi, again, these drawings were done in CAD,
25 autoCAD; right?

1 A. Yes, correct.

2 Q. And are these also equipment specifications?

3 A. Yes, uh-huh.

4 Q. Thank you.

5 MS. LOVETT: Your Honor, may I approach the witness
6 with Exhibit 2175?

7 THE COURT: Yes, you may.

8 BY MS. LOVETT:

9 Q. Ms. Sanghi, do you recognize this document?

10 A. Yes.

11 Q. What is it?

12 A. This is P&ID for 30K project.

13 Q. Did you do work on this drawing?

14 A. Yes.

15 Q. And was part -- was that work part of your normal job
16 responsibilities?

17 A. Yes.

18 MS. LOVETT: Your Honor, I move to admit Exhibit 2175
19 into evidence.

20 MR. SCOTT: No objection.

21 THE COURT: Admitted.

22 (Trial Exhibit 2175 received in evidence)

23 BY MS. LOVETT:

24 Q. Ms. Sanghi, looking at this diagram, can you explain for
25 the jury, what is a P&ID?

1 **A.** P&ID is a piping and instrumentation diagram where we have
2 the piping equipment layout and the instrumentation on the
3 diagrams.

4 **Q.** And is this different than a process flow diagram?

5 **A.** Yes.

6 **Q.** How do they differ?

7 **A.** The process flow diagram, we have the equipment and the
8 flow, process flow; whereas, here in the piping and
9 instrumentation, we have the piping and the instrumentation
10 details.

11 **Q.** Thank you.

12 And is this another drawing that you would do in a CAD
13 program?

14 **A.** Yes.

15 **MS. LOVETT:** Your Honor, may I approach the witness
16 with Exhibits 2176 through 2188?

17 **THE COURT:** Yes, you may.

18 **BY MS. LOVETT:**

19 **Q.** Ms. Sanghi, take a moment to look through that pile of
20 exhibits I just handed you.

21 **A.** (Witness examines documents.)

22 **Q.** What are these documents?

23 **A.** These are P&ID.

24 **Q.** Did you work on these P&IDs?

25 **A.** Yes.

1 Q. Did you work on each of the P&IDs that I just handed you?

2 A. Yes. Yes.

3 Q. Was that work part of your normal job responsibilities?

4 A. Yes.

5 MS. LOVETT: Your Honor, this will be tedious for a
6 moment, but I move to admit 2176, 2177, 2178, 2179, 2180, 2181,
7 2182, 2183, 2184, 2185, 2186, 2187, and 2188 into evidence.

8 THE COURT: Any objection?

9 MR. SCOTT: May I have one moment?

10 THE COURT: All right.

11 (Pause in proceedings.)

12 MR. SCOTT: No objection.

13 THE COURT: Admitted. They're all admitted.

14 (Trial Exhibits 2176, 2177, 2178, 2179, 2180, 2181, 2182,
15 2183, 2184, 2185, 2186, 2187, and 2188 received in evidence)

16 MS. LOVETT: Mr. Guevara, can you please display 2176
17 as an example?

18 Q. Ms. Sanghi, this is another process and instrumentation
19 diagram; correct?

20 A. Yes, piping and instrumentation.

21 Q. Piping and instrumentation. I'm sorry.

22 How many piping and instrumentation diagrams did you work
23 on while you worked for Mr. Liew?

24 A. I worked on many of them, but the final version should be
25 one. But I worked on the changes. So I don't remember how

1 many I worked, but there should be about 150 somewhere.

2 Q. 150?

3 A. Yes.

4 Q. Thank you.

5 MS. LOVETT: Your Honor, may I approach the witness
6 with Exhibit 3505?

7 THE COURT: Yes.

8 MS. LOVETT: And, Ms. Ottolini, to the extent you need
9 a description for this, it's an equipment specification.

10 THE CLERK: Equipment specification?

11 MS. LOVETT: Yes.

12 Q. Ms. Sanghi, do you recognize this document?

13 A. Yes.

14 Q. What is it?

15 A. This is chlorinator equipment specification.

16 Q. Equipment specification for the chlorinator?

17 A. Yes.

18 Q. Did you work on this drawing?

19 A. Yes.

20 Q. Did you work on this drawing as part of your normal job
21 responsibilities?

22 A. Yes.

23 MS. LOVETT: Your Honor, I move to admit Exhibit 3505
24 into evidence.

25 MR. SCOTT: No objection.

1 **THE COURT:** Admitted.

2 (Trial Exhibit 3505 received in evidence)

3 **BY MS. LOVETT:**

4 **Q.** Ms. Sanghi, what is an equipment specification?

5 **A.** Equipment specification is where we have the equipment
6 details, all the size details and all the normal details and
7 the views and different views of the equipment.

8 **Q.** Different views of the equipment?

9 **A.** Yes.

10 **Q.** You mentioned this is for the chlorinator?

11 **A.** Yes.

12 **Q.** What kinds of things did you specifically work on in this
13 diagram?

14 **A.** Actually, some of the drawings I got it from Brijesh
15 Bhatnagar brought to me, so he used to give me in autoCAD, so I
16 used to make the changes. Sometimes I used to dimension them,
17 text them, and resize them if needed, and add the nozzles and,
18 yeah, the things on the diagram.

19 **Q.** So you mentioned that you did dimensioning?

20 **A.** Yes.

21 **Q.** And you added text?

22 **A.** Yes.

23 **Q.** And you worked on the nozzles as well?

24 **A.** Yes, correct.

25 **Q.** Thank you.

1 **MS. LOVETT:** Your Honor, may I approach the witness
2 with Exhibit 2940?

3 **THE COURT:** Yes, you may.

4 **BY MS. LOVETT:**

5 **Q.** Ms. Sanghi, do you recognize this document?

6 **A.** Yes.

7 **Q.** What is it?

8 **A.** Equipment specifications.

9 **Q.** And can you -- did you work on this equipment
10 specification?

11 **A.** Yes.

12 **Q.** Can you briefly look through the pages of this document
13 and tell me whether you worked on every page of this document?

14 **A.** (Witness examines document.) Yes, I worked on every page.

15 **Q.** Did you work on this document as part of your ordinary job
16 responsibilities?

17 **A.** Yes.

18 **MS. LOVETT:** Your Honor, I move to admit Exhibit 2940
19 into evidence.

20 **MR. SCOTT:** No objection.

21 **THE COURT:** Admitted.

22 (Trial Exhibit 2940 received in evidence)

23 **BY MS. LOVETT:**

24 **Q.** Ms. Sanghi, you mentioned that this is a further equipment
25 specification; correct?

1 **A.** Yes.

2 **Q.** What part of the process does this specification relate
3 to?

4 **A.** This is oxidation reactor equipment specification.

5 **Q.** Thank you.

6 **MS. LOVETT:** Your Honor, may I approach with
7 Exhibit 3005?

8 **THE COURT:** Yes, you may.

9 (Pause in proceedings.)

10 **BY MS. LOVETT:**

11 **Q.** Ms. Sanghi, do you recognize this document?

12 **A.** Yes.

13 **Q.** What is it?

14 **A.** Equipment specification drawings.

15 **Q.** Did you work on these drawings?

16 **A.** Yes.

17 **Q.** Take a moment. Did you work on every page of these
18 drawings?

19 **A.** (Witness examines document.) Yes, I worked on every page.

20 **Q.** And was your work on each page of this document part of
21 your ordinary job responsibilities?

22 **A.** Yes.

23 **MS. LOVETT:** Your Honor, I move to admit Exhibit 3005
24 into evidence.

25 **MR. SCOTT:** No objection.

1 **THE COURT:** Admitted.

2 (Trial Exhibit 3005 received in evidence)

3 **BY MS. LOVETT:**

4 **Q.** Ms. Sanghi, you mentioned that this is a further equipment
5 specification. What part of the process is it related to?

6 **A.** This is for 30K.

7 **Q.** And what piece of equipment does it relate to?

8 **A.** Pure -- there are many equipment diagrams here, like pure
9 TiCl tank, aluminum chloride generator, and flue pond pipe,
10 et cetera.

11 **Q.** Thank you.

12 **MS. LOVETT:** Your Honor, may I approach the witness
13 with Exhibit 2234?

14 **THE COURT:** Yes, you may.

15 **BY MS. LOVETT:**

16 **Q.** Ms. Sanghi, do you recognize this document?

17 **A.** Yes.

18 **Q.** What is it?

19 **A.** This is equipment specification.

20 **Q.** Did you work on this equipment specification?

21 **A.** Yes, I did.

22 **Q.** And as you look through the document, did you work on each
23 page of this equipment specification?

24 **A.** (Witness examines document.)

25 **Q.** And take your time.

1 A. (Witness examines document.) Yes, I worked on each page.

2 Q. And did you do these -- your work on these drawings in the
3 ordinary course of your job responsibilities?

4 A. Yes.

5 MS. LOVETT: Your Honor, I move to admit Exhibit 2234
6 into evidence.

7 MR. SCOTT: No objection.

8 THE COURT: Admitted.

9 (Trial Exhibit 2234 received in evidence)

10 BY MS. LOVETT:

11 Q. Ms. Sanghi, looking at this drawing, you mentioned that
12 this is an equipment specification. What piece of equipment is
13 this for?

14 A. This is for micron grinder.

15 Q. Thank you.

16 MS. LOVETT: Your Honor, may I approach the witness
17 with Exhibit 2237?

18 THE COURT: Yes.

19 BY MS. LOVETT:

20 Q. Ms. Sanghi, do you recognize this document?

21 A. Yes.

22 Q. What is it?

23 A. This is micron grinder equipment specification.

24 Q. Did you work on this document?

25 A. Yes.

1 Q. Was your work on this document part of your ordinary job
2 responsibilities?

3 A. Yes.

4 MS. LOVETT: Your Honor, I move to admit Exhibit 2237
5 into evidence.

6 MR. SCOTT: No objection.

7 THE COURT: Admitted.

8 (Trial Exhibit 2237 received in evidence)

9 BY MS. LOVETT:

10 Q. Ms. Sanghi, this equipment specification also relates to
11 the micron grinder?

12 A. Yes.

13 Q. Thank you.

14 How many equipment specifications did you work on while
15 you worked for Mr. Liew?

16 A. I worked on many of them, but the final version for the
17 equipment drawings also should be one. So I worked about,
18 like, a hundred.

19 Q. A hundred?

20 A. Yes.

21 Q. Thank you.

22 MS. LOVETT: Your Honor, may I approach with
23 Exhibit 1400?

24 THE COURT: Yes.

25

1 **BY MS. LOVETT:**

2 **Q.** Ms. Sanghi, do you recognize this document?

3 **A.** Yes.

4 **Q.** What is it?

5 **A.** This is equipment layout.

6 **Q.** Equipment layout?

7 **A.** Yes.

8 **Q.** Did you work on this document?

9 **A.** Yes.

10 **Q.** Did you work on each page of this document?

11 **A.** (Witness examines document.) Yes.

12 **Q.** Did you work on each page of this document as part of your
13 ordinary job responsibilities?

14 **A.** Yes.

15 **MS. LOVETT:** Your Honor, I move to admit Exhibit 1400
16 into evidence.

17 **MR. SCOTT:** No objection.

18 **THE COURT:** Admitted.

19 (Trial Exhibit 1400 received in evidence)

20 **BY MS. LOVETT:**

21 **Q.** Ms. Sanghi, you mentioned that this is an equipment
22 layout.

23 **A.** Uh-huh.

24 **Q.** What is the purpose of an equipment layout?

25 **A.** The equipment layout has the layout where the equipment

1 should be placed in the field, and it has all the dimensions
2 and all the equipment in it.

3 Q. Thank you.

4 MS. LOVETT: Your Honor, may I approach with
5 Exhibit 2775.

6 THE COURT: Yes, you may.

7 BY MS. LOVETT:

8 Q. Ms. Sanghi, do you recognize this document?

9 A. Yes.

10 Q. What is it?

11 A. This is plot plan.

12 Q. Did you work on this plot plan?

13 A. Yes.

14 Q. Did you work on each page of this plot plan?

15 A. (Witness examines document.) Yes.

16 Q. And was your work on each page of this plot plan part of
17 your ordinary job responsibilities?

18 A. Yes.

19 MS. LOVETT: Your Honor, I move to admit Exhibit 2775.

20 THE COURT: Any objection?

21 MR. SCOTT: One moment, Your Honor.

22 THE COURT: Yes.

23 (Pause in proceedings.)

24 MR. SCOTT: No objection.

25 THE COURT: Admitted.

1 (Trial Exhibit 2775 received in evidence)

2 **BY MS. LOVETT:**

3 **Q.** Ms. Sanghi, you mentioned that this is a plot plan.

4 What's the purpose of a plot plan?

5 **A.** A plot plan is same like the layout. We have the top view
6 of the equipment placement and the dimension of the field.

7 **Q.** The dimension of the --

8 **A.** All the layout.

9 **MS. LOVETT:** Thank you. I have no further questions
10 at this time, Your Honor.

11 **THE COURT:** Thank you, Ms. Lovett.

12 Mr. Scott, you may cross-examine.

13 **MR. SCOTT:** Yes, please, Your Honor.

14 **CROSS-EXAMINATION**

15 **BY MR. SCOTT:**

16 **Q.** Good morning, Ms. Sanghi.

17 **A.** Good morning.

18 **Q.** So in your testimony both yesterday and today, we've
19 looked at a lot of drawings; right?

20 **A.** Yes.

21 **Q.** With regard to all of the drawings you've discussed, you
22 were given design criteria by someone else; correct?

23 **A.** Yes, correct.

24 **Q.** And it was your job to draw equipment that had been
25 designed by someone else; correct?

1 **A.** Uh-huh.

2 **THE COURT:** Is that a yes?

3 **THE WITNESS:** Yes. I'm sorry. Yes.

4 **BY MR. SCOTT:**

5 **Q.** You didn't do any research yourself into how to design an
6 oxidation reactor or other equipment, did you?

7 **A.** No.

8 **Q.** When you said you'd worked on all the drawings, you meant
9 you'd drawn the equipment based on criteria developed by other
10 persons; correct?

11 **A.** Yes.

12 **Q.** Before you worked at Performance Group, did you have any
13 experience in titanium dioxide?

14 **A.** No.

15 **MR. SCOTT:** No further questions, Your Honor.

16 **THE COURT:** Thank you.

17 Anything further?

18 **MS. LOVETT:** Nothing further, Your Honor.

19 **THE COURT:** All right. You're excused. Thank you
20 very much. You may step down. You're excused.

21 **THE WITNESS:** I'm sorry.

22 **MS. LOVETT:** Ms. Sanghi?

23 **THE COURT:** Thank you.

24 (Witness excused.)

25 **MS. LOVETT:** Your Honor, may I approach to retrieve

1 the exhibits?

2 **THE COURT:** Please do. And in the meantime perhaps,
3 Mr. Gasner, you can call your next witness.

4 **MR. GASNER:** Thank you, Your Honor.

5 Before calling Mr. Cooper, I'd like to introduce
6 Exhibit 900, which is the Sherwin-Williams agreement, and it's
7 been stipulated that it is authentic and comes from the files
8 of the Legal Department of DuPont Corporation.

9 **THE COURT:** Is that stipulation correct?

10 **MR. HEMANN:** That is correct, Your Honor.

11 **THE COURT:** All right. Subject to the discussion we
12 had before, the document is admitted.

13 (Trial Exhibit 900 received in evidence)

14 **MR. GASNER:** Thank you, Your Honor.

15 The defendants call Paul Cooper.

16 **PAUL ANTHONY COOPER,**

17 called as a witness for the Defendants, having been duly sworn,
18 testified as follows:

19 **THE WITNESS:** I do.

20 **THE CLERK:** Thank you.

21 Please be seated and state and spell your full name for
22 the record.

23 **THE WITNESS:** My name is Paul, spelled P-A-U-L,
24 Anthony, A-N-T-H-O-N-Y, Cooper, C-O-O-P-E-R.

25 **THE CLERK:** Thank you.

DIRECT EXAMINATION

1
2 **BY MR. GASNER:**

3 **Q.** Good morning, Mr. Cooper. Could you tell us about your
4 educational background?

5 **A.** I have a Bachelor of Science degree with honors in
6 chemical engineering from the University of Manchester
7 Institute of Science and Technology in England.

8 **Q.** Are you a member of any professional societies?

9 **A.** I'm charts engineer in the UK, a member of the Institute
10 of Chemical Engineers, a member of the American Chemical
11 Society.

12 **Q.** I'd like to talk a little bit about your employment
13 history. When did you graduate from college?

14 **A.** 1969.

15 **Q.** Did you get a job in the titanium dioxide industry
16 thereafter?

17 **A.** Immediately after graduating, I went to join Laporte
18 Industries, Limited, at their Stallingborough plant, which was
19 a chloride-route titanium dioxide plant, which was then under
20 construction.

21 **Q.** Where is that located?

22 **A.** In the northeast of England.

23 **Q.** What was your job at Laporte at the Stallingborough plant?

24 **A.** To start off, I had to be trained. The plant had been
25 developed jointly by American Potash and Laporte. American

1 Potash is now Tronox. So there were people who had been to
2 America to construct and run that plant. They came back and
3 trained us. When I'd done that, I assisted in training all the
4 new operators who were hired at the time.

5 **Q.** Tell us about your first series of jobs at the
6 Stallingborough plant.

7 **A.** When the plant was ready to start up, I went on shift
8 assisting the operators and mechanics in starting up the plant.
9 On and off, then, for about five years I was on shift
10 commissioning the various parts of the plant. And then
11 finally, actually, the shift manager for all the parts of the
12 plant on site. We had both a chloride plant and a sulfate
13 plant.

14 **Q.** Can you tell us -- the members of the jury what you mean
15 when you talk about doing work on the shift?

16 **A.** It meant I worked a rotating shift system: 8:00 to 4:00,
17 4:00 to midnight, midnight to 8:00, seven days a week. And we
18 used to rotate the shifts. We used to go around with the
19 operators, assist them in doing their job, and training them
20 technically in what the process was all about. Most of these
21 were new hires, had not been in the chemical industry before,
22 so that was what the primary function of the job was.

23 **Q.** How long were you doing basically shift work working
24 either --

25 **A.** About --

1 Q. -- morning or night or graveyard --

2 A. About five years.

3 Q. -- shifts?

4 Did you rise through the ranks?

5 A. Oh, yes. I finished up as site manager, which run the
6 plant out of hours during the shifts.

7 Q. Tell us --

8 A. We had just the sulfate plant and the chloride plant,
9 boiler houses, instrument compressors, waste treatment, and all
10 the other ancillary plants.

11 Q. Was one of these jobs called a section leader?

12 A. After I came off shift, I was appointed a section leader,
13 which handled all the detailed daily technical operations, as
14 well as long-term projects.

15 Q. Did there come a time during this phase of your career at
16 Stallingborough where you came to learn about the Kerr-McGee
17 technologies?

18 A. As I said, the original process was developed by Laporte
19 and American Potash. American Potash was taken over by
20 Kerr-McGee. And in 1981, we renewed a technical agreement
21 with, as it was now, Kerr-McGee. So I came to the States for
22 the first time ever to visit the Hamilton plant for
23 approximately two weeks.

24 Q. Where is the Hamilton plant?

25 A. Mississippi.

1 Q. Is that a chloride-route titanium dioxide plant?

2 A. That is a chloride-route titanium dioxide plant.

3 Q. Did you come to learn the technology as part of that
4 exchange?

5 A. Yes. And it should be noted that the Laporte process was
6 very similar to the Tronox process.

7 Q. Did there come a time when a company called SCM took over
8 the company where you were working, Laporte?

9 A. Yes. In 1984-'85 era, SCM, which is an American company
10 based in Baltimore, Maryland, bought the titanium dioxide
11 assets of Laporte Industries. That was the Stallingborough
12 plant and the small plant we had in Australia.

13 Q. And we've heard a lot during the trial about the Ashtabula
14 plant. What relationship, if any, did you become aware of
15 between SCM and the Ashtabula plant?

16 A. In 1985, SCM decided to double the size of the plant at
17 Stallingborough. As part of that, we had to completely replace
18 the oxidation unit, which is the middle part of the plant. The
19 design for that plant was done by Americans out of Baltimore,
20 who told me that, essentially, it was technology that had been
21 acquired from the Ashtabula 1 plant, which was formerly the
22 Sherwin-Williams plant, and they had developed it on and
23 doubled and finally trebled the size of that equipment.

24 Q. So in terms of chronology, what year were you in when SCM
25 takes over and you get involved with the Ashtabula plant?

1 A. 1985.

2 Q. 1985?

3 A. '85.

4 Q. And what was your position at what was now SCM at that
5 time?

6 A. I became lead process engineer for the expansion, which
7 was basically doing all the process design for this new
8 oxidation unit from the States.

9 Q. Did you also get involved in licensing in this time
10 period?

11 A. A little later on in my career. In -- beginning in that
12 time, but also later, we set up a special department. It was
13 our intention to license our own process as Laporte's, and then
14 I, basically, put together licensing packages for companies to
15 come and look and buy the technology.

16 Q. As you rose through the ranks at SCM, did you get involved
17 in mergers and acquisitions?

18 A. Yes. My last big job was process engineering manager,
19 which was working on all capital projects, big projects, but
20 also part of that was a licensing function where we actually
21 attempted to license the process.

22 Q. Did there come a time when you were involved in building a
23 brand new TiO₂ plant in Western Australia?

24 A. Yes. We'd finished the Stallingborough job, which we
25 brought online in two years, and very successfully I might add.

1 And because of that, the company asked if I would be prepared
2 to design the new plant for Western Australia. Of course, I
3 accepted. And I spent one year in London doing all the process
4 design, the engineering design. Then I transferred to
5 Australia for a full year for the construction part of the job.

6 **Q.** And were there trials run as part of the Western Australia
7 plant?

8 **A.** Yes. We were going to run a new raw material, which
9 Laporte had never run before, but it had been run at our
10 Ashtabula 2 facility.

11 **Q.** So tell the members of the jury, what's the difference
12 between Ashtabula 1 and Ashtabula 2?

13 **A.** Ashtabula 1 was the original Sherwin-Williams plant. At
14 the same time as that was running, a plant was built by Gulf &
15 Western, actually, at Ashtabula 2. They are a mile apart.
16 They were totally different companies until 1983, when SCM
17 bought Ashtabula 2. It had already purchased Ashtabula 1 in
18 the '70s.

19 **Q.** What was your involvement in terms of running the trials
20 for the Western Australia plant and -- Ashtabula is near
21 Cleveland in Ohio; is that correct?

22 **A.** That's correct.

23 **Q.** So what's the connection between a plant in Western
24 Australia and a couple of plants in Ohio?

25 **A.** The actual raw material for the Western Australia plant

1 was to be actually manufactured in Western Australia. That's
2 why the plant was built there.

3 However, Ashtabula 2 plant had been running what is called
4 synthetic rutile for many years. So I set up a program. The
5 only chlorinator that we could run it on was in Ashtabula 2.
6 So I flew to the States for about three weeks to actually
7 oversee the trial.

8 **Q.** And did there come a time when you became familiar with
9 the operations of the Ashtabula 1 plant?

10 **A.** At that time, because it was just down the road, I asked
11 if I could go and see the plant, because I'd actually designed
12 the plant without seeing it. So they invited me down, and I
13 spent about a full day on the plant at that time.

14 **Q.** Was there a time later in your career where you spent more
15 time at Ashtabula 1?

16 **A.** Yes. I did a second visit and brought some supervisors
17 across because they were going to be trained, and we went to
18 Ashtabula 1, spent probably a week, give or take, then.

19 Then after we'd finished the Australian job -- again it
20 took us two years, came online just after Christmas, and I
21 stayed behind, but later I transferred to the States.

22 As part of that, my job was to review the designs for a
23 new line to be built at Ashtabula 1. They were adding a second
24 line on top of the original Sherwin-Williams plant.

25 So I flew to Baltimore. I was about to set up house,

1 decided, after a lot of discussions, that the design for the
2 new Ashtabula 1 plant was not the latest that it should be. So
3 I relocated to Philadelphia to the engineering house, spent
4 nine months in Philadelphia redesigning the plant, and then
5 transferred to Ashtabula 1 to become assistant project manager
6 for the installation of the second line. And I spent about a
7 full year on Ashtabula 1 plant.

8 **Q.** So this was designing a second line for the Ashtabula 1
9 plant?

10 **A.** That is correct.

11 **Q.** So how long did you spend at Ashtabula 1 learning about
12 the old system and installing the new one?

13 **A.** A full year.

14 **Q.** What was your title back then?

15 **A.** It started as review engineer, because I was reviewing the
16 designs, and finally ended up as assistant project manager.

17 **Q.** Did there come a time when a company called Millennium
18 became your new boss?

19 **A.** Yeah. That was purely a spinoff from the original SCM.
20 We had actually gone through another takeover, which was very
21 quiet, by the Hanson Company of the United Kingdom. They
22 didn't choose to exchange any names, so we stayed SCM. But
23 finally they spun us off as a separate entity called Millennium
24 Inorganic Chemicals.

25 **Q.** When did a company called Cristal get involved?

1 **A.** Cristal. A strange history. Cristal was actually running
2 a Tronox plant in Yanbu, Saudi Arabia. In 2007 they decided to
3 grow very rapidly in the titanium dioxide business; so they
4 actually hired me as a consultant to buy Millennium Inorganic
5 Chemicals.

6 **Q.** And was there a time later where Cristal acquired
7 Millennium?

8 **A.** Oh, yes. That year, 2007, they bought them.

9 **Q.** Once you were at Millennium, how long did you stay there
10 in what jobs?

11 **A.** In Millennium -- I came to the States in 1989, spent,
12 let's say, two years on the Ashtabula 1 job. I then
13 transferred to Ashtabula 2 as process engineering manager. I
14 did that for about nine years, and that was covering everything
15 from day-to-day operation through major capital expenditures in
16 the tens of millions of dollars.

17 In about 1999, we thought about building another big
18 plant, and they asked me to go and head up a group of engineers
19 to design the new plant, which I did. I was still based --
20 located in Ashtabula, but I sort of traveled the world for a
21 few years.

22 In 2001 the market tanked. The titanium dioxide business
23 just -- the bottom dropped out of the market. So they laid off
24 a lot of people. One of them was me. Fortunately, I was
25 senior enough that I could take early retirement, which I did,

1 and left Millennium in 2001.

2 **Q.** So let's pause there.

3 During your career from 1969 through 2001, you actually
4 designed plants?

5 **A.** Four major plants, plus a lot of other projects.

6 **Q.** Did you become familiar with reading process flow diagrams
7 and piping and instrumentation diagrams, equipment
8 specifications, all of those things?

9 **A.** I drew most of them, yes.

10 **Q.** What plant did you actually design in your career?

11 **A.** Stallingborough, the oxidation unit, was the first one.

12 Then the Western Australian, which had both a titanium
13 tetrachloride, $TiCl_4$, plant and an oxidation plant. Ashtabula
14 1, which was a complete line right from ore-and-coke handling
15 right through to finishing. And then the last one that
16 actually happened was the Ashtabula 2 expansion where we
17 doubled the capacity of that one as well.

18 However, I also designed with a team -- these are all team
19 projects; they're not one person -- what is called a standard
20 plant model, which is a plant that you can design for anyplace
21 in the world. And I also designed, with a team, a 150,000-ton
22 expansion for Western Australia. Neither of those plants were
23 built, but we designed them.

24 **Q.** Let's turn to your consulting career. What have been some
25 of your projects and experience in that area?

1 **A.** I don't look for work. I was quite happily retired, but I
2 was approached firstly to do a merger and acquisition, which
3 was a bit strange because the private equity company that hired
4 me was trying to buy Tronox/Kerr-McGee, which is -- one of the
5 plants was the Hamilton plant I visited in '81.

6 The second major job was in an arbitration case between,
7 strangely enough again, Kerr-McGee, which was the original
8 AMPOT, and Kimera of Finland. That was a civil arbitration in
9 front of the London Court of International Arbitration.

10 **Q.** Let's focus on your technical consulting. Did you work
11 for a plant that was built in China?

12 **A.** Yes.

13 **Q.** Tell us about that. What did you do there?

14 **A.** I was approached to update a design that the company had
15 purchased from RMI. RMI was a major titanium manufacturer, not
16 titanium dioxide, and they had designed a TiCl plant. This
17 company purchased all of the designs, but they were very old
18 designs. We're talking about the late '80s, and this is now
19 2007. And they asked me, and I put together a small team, to
20 update those designs. The plant was built in Hunan province in
21 a place called Xi'an in 2008, 2009.

22 **Q.** So you spent some time in China looking at their titanium
23 dioxide or TiCl facilities?

24 **A.** With this same company, firstly, in 2005 I went to see the
25 Jinzhou plant. Then I visited about three times for this

1 particular titanium plant that I designed. And then finally I
2 went to visit Zhongye, which is another titanium manufacturer.

3 **Q.** Let's talk about the paint side of this business. We've
4 heard a lot about paint companies being customers of titanium
5 dioxide.

6 Have you consulted for the paint industry?

7 **A.** Yes. I consulted with the second largest -- first or
8 second largest paint company in the world, PPG, Pittsburgh
9 Plate Glass as it used to be called.

10 **Q.** In terms of other aspects of your consulting, on the
11 business side of TiO₂, have you done any due diligence for
12 acquisitions?

13 **A.** Three of them. The first one I mentioned, which was
14 private equity trying to buy Tronox. Tronox then went into
15 bankruptcy, and again I was approached to redo the due
16 diligence on the bankrupt company.

17 And then finally, quite amusing, Cristal hired me to,
18 actually, buy my old company, which was quite an interesting
19 exercise.

20 **Q.** So you went -- they pulled you back into the Cristal
21 orbit.

22 And in terms of other work in China, have you worked on a
23 TiCl plant?

24 **A.** That's the one we described at Xi'an.

25 **Q.** Okay. So in terms of plants that you've visited around

1 the world, in addition to that, have you had occasion to study
2 patents, articles, textbooks, things of that nature?

3 **A.** Throughout my career we always studied what the
4 competitors were doing. The main source of information is
5 patents.

6 There aren't many textbooks written on titanium dioxide.
7 There are some fundamental texts for parts of the plant but,
8 actually, about TiO₂ there aren't that many. There are a
9 couple of very famous ones, but maybe three more of real
10 interest.

11 So most of your information comes from patents. We had a
12 library that did nothing but search out patents that were being
13 submitted and published all over the world.

14 **Q.** And was that a resource that you regularly looked at?

15 **A.** Every month I used to get a list of patents that had been
16 issued.

17 **Q.** So in terms of the plants around the world that you hadn't
18 actually visited, did you come to know them through studying
19 patents of their companies?

20 **A.** Yes, I did.

21 **Q.** Have you been qualified as an expert before?

22 **A.** Yes, before the London Court of International Arbitration.

23 **Q.** Was that a case involving titanium dioxide?

24 **A.** Yes. Two manufacturers basically disagreed, and I was
25 called in as an expert to give my opinion as to the

1 arbitration.

2 **Q.** Let's turn to the work that you've done for this case.
3 Can you tell the members of the jury what, in terms of bulk,
4 just quantity, how much material have you received?

5 **A.** My wife gets very annoyed. In hard copy I received
6 initially eight boxes, bankers boxes, of documents. I once
7 calculated it was about 30,000 pages.

8 In addition to that, I received later on another two
9 bankers boxes, which had various folders in them. And about
10 every two weeks I'd receive what's called an FTP file, which is
11 a very large computer file. It can't be attached to an email;
12 it's too big. And I would receive one of those every two
13 weeks. I think I got between six and eight of those, probably
14 5 to 10 gigabytes.

15 **Q.** So roughly how many gigabytes of electronic files do you
16 think you received?

17 **A.** Previously, I'd already received some files. So
18 altogether it was between 15 and 20 gigabytes of data.

19 **Q.** And in terms of the content of what you got, what did you
20 get in terms of the work product that both the Performance
21 Group and USAPTI and its various employees and consultants did
22 over the years? What did you look at in that regard?

23 **A.** There were two projects. The first one was the so-called
24 30K, and then the second one was the 100K. For each of those
25 projects I received -- I think the earliest documents I've got

1 go back to about 2005. They would include process
2 descriptions, piping and instrumentation diagrams, process flow
3 diagrams, equipment specification sheets, and all the other
4 documents that would be associated with putting a big project
5 together.

6 The sort of size of this type of project would probably be
7 about, the last one I did was eight 4-inch ring binders with
8 two or three what we call 11-by-17 binders to put the big
9 drawings in, and those were all final issues. They weren't all
10 the revisions that were in these boxes.

11 **Q.** So in addition to the actual work, finished work product,
12 did you look at the calculations and other things leading up to
13 those sketches, things of that nature, leading up to the final
14 work product?

15 **A.** There was a lot of hand-drawn sketches, a lot of
16 calculation sheets. Then there were some types of type sheets
17 showing calculations and specifications. All these are done at
18 the start of a project. They're all preliminary stuff, which
19 finally get translated into the big documents at the end.

20 **Q.** Did you review the technical library resources that USAPTI
21 and Performance Group had; that is, the patents and other
22 materials that they had collected?

23 **A.** One of the file transfers that they sent, Keker & Van Nest
24 sent to me, was actually the USAPTI library. I was quite
25 jealous because it was absolutely huge. It had everything from

1 standard textbooks, which I have, to very detailed textbooks to
2 patent information, extracts from magazines. They covered
3 chemical engineering, mechanical engineering, control
4 engineering, fluidization, environmental engineering, practical
5 design. It was just huge.

6 **Q.** In terms of documents that the Government identified as
7 ones they potentially were going to use in this trial, do you
8 recall getting those materials?

9 **A.** Yes.

10 **Q.** And what was the quantity of the potential exhibits that
11 you received and reviewed?

12 **A.** It varied depending on what part of the trial you're
13 talking about, but probably started off with three or four
14 bankers boxes full.

15 **Q.** And how did you go about studying all these materials?

16 **A.** I have a sort of way of doing it. For the arbitration,
17 civil arbitration, I got roughly the same number of documents,
18 so I developed a process.

19 Basically, I sit down with a bankers box on my knee and go
20 through it page by page. I don't read it in detail, but just
21 identify what is likely to be relevant to the case. Clearly
22 there's a lot of some things you can say, "Not relevant." And
23 you just, basically, don't flag that. The rest you flag.

24 You go through each of the boxes in turn. And then when
25 you've -- when I've flagged each of the pages or documents that

1 are applicable, I then go back and read them very thoroughly.

2 **Q.** Did you do any of your own research on what types of
3 information in titanium dioxide was publicly available?

4 **A.** Yeah. I did a search because, you know, I left the
5 industry in 2001, and I thought maybe I got behind a bit. So I
6 did a search for textbooks that had been published since I
7 left, magazine articles. The major search turned out to be a
8 patent search, because now I had to do it myself. I didn't
9 have a librarian to do it for me.

10 Fortunately, Google has a separate search engine nowadays
11 just to do patents. So I went on to there, and by the time I'd
12 finished the patent search, I probably had three, maybe four
13 4-inch ring binders of patents that I was actually going to
14 use. I had an awful lot more electronically.

15 **Q.** Did you prepare a report of your conclusions?

16 **A.** Yes, I did.

17 **Q.** And without getting into the details yet, because we'll
18 discuss that as the day proceeds, how long was your report?

19 **A.** I think it was about 80-odd pages. The text of the
20 report, the attachments were many hundreds of pages.

21 **Q.** What were in the attachments?

22 **A.** They listed all the textbooks I've referred to, all the
23 patents I've referred to, all the magazine articles I've
24 referred to, and that sort of background information.

25 Other areas that I had investigated -- and it's a very

1 useful source -- permit applications, both in Europe and in the
2 States, for clean-water permits, the Clean Air Act Title V
3 permits, which have a lot of information about air emissions,
4 the state permitting. There's a lot of those around with quite
5 valuable information in it. So I'd listed all these sorts of
6 things in my appendices.

7 **Q.** Did you read the reports of the Government expert, in
8 particular Mr. Gibney?

9 **A.** I received Mr. Gibney's report with the documents he had
10 referred to -- sorry -- that he had viewed.

11 **Q.** So you looked at the same documents that he looked at?

12 **A.** I got them all sent to me, and I went through all of those
13 as well.

14 **Q.** And how about the transcripts of this trial over the last
15 several weeks? Have you had a chance to review those?

16 **A.** Yes. You've been sending me them, plus I've been looking
17 at things like the minutes that are published on Pacer and
18 things like that. So I keep up-to-date.

19 **Q.** How much time have you spent? We started working together
20 in November of 2012?

21 **A.** Correct.

22 **Q.** Does that sound right?

23 And since then, how many hours have you put in?

24 **A.** Actual hours that I've told you about, about 800.

25 **Q.** And your work has not been for free. What is your hourly

1 rate?

2 **A.** I charge \$250 an hour.

3 **Q.** So what's the -- what has been the damage so far in terms
4 of the cost of your review?

5 **A.** Close to \$150,000.

6 **MR. GASNER:** Your Honor, the defendants offer
7 Mr. Cooper as an expert in titanium dioxide technology and the
8 titanium dioxide business.

9 **THE COURT:** Any objection?

10 **MR. AXELROD:** Your Honor, may I voir dire the witness?

11 **THE COURT:** Yes, you may.

12 **MR. AXELROD:** Thank you.

13 (Pause in proceedings.)

14 **THE COURT:** Before you start, maybe we can take a
15 stretch break now.

16 You can stand up if you like too.

17 **THE WITNESS:** Thank you.

18 (Pause in proceedings.)

19 **THE COURT:** Please be seated.

20 You may continue.

21 **MR. AXELROD:** May I begin, Your Honor?

22 **THE COURT:** Yes, you may.

23 **VOIR DIRE EXAMINATION**

24 **BY MR. AXELROD:**

25 **Q.** Good morning, Mr. Cooper.

1 A. Good morning.

2 Q. You indicated that you were retained, I think, around
3 November of 2012 on this case?

4 A. By Keker & Van Nest, that's correct.

5 Q. Okay. And you prepared an expert report; right?

6 A. Correct.

7 Q. And I gather that prior to submitting the expert report,
8 you reviewed the Indictment in this case?

9 A. That is correct.

10 Q. Okay. And you understood that one of the purposes of your
11 report was to provide some background about yourself and your
12 experience; right?

13 A. Correct.

14 Q. And to that end, you provided a CV in your report; right?

15 A. Yes, I did.

16 Q. And that CV generally summarized your professional
17 experience?

18 A. Correct.

19 Q. I looked at -- in looking at the report, you left
20 Millennium in 2001; right?

21 A. Correct.

22 Q. And then since that time, until today, you've served as a
23 consultant?

24 A. Not continuously.

25 Q. But that's how you've -- when you've worked, you've worked

1 as a consultant in the TiO2 industry?

2 **A.** That is correct.

3 **Q.** And you summarized that work in your CV; right?

4 **A.** Correct.

5 **Q.** Now, you chose -- you chose to go back eight years, and
6 that just seems kind of odd. Why did you pick eight years to
7 go back on your CV?

8 **MR. GASNER:** Objection, Your Honor. This is voir dire
9 on credentials this sounds like.

10 **THE COURT:** Yes. Sustained.

11 **BY MR. AXELROD:**

12 **Q.** Well, let's talk about the work that you did. You
13 described, when Mr. Gasner was asking you -- and I want to just
14 make sure I got the time line -- the work that you did in
15 China.

16 **A.** Uh-huh.

17 **Q.** Okay. So let's just talk about the work that you did in
18 China.

19 And could you tell me -- could you tell us about the first
20 project that you got involved with in China? When was that?

21 **A.** I believe I say that's in 2005.

22 **Q.** Okay.

23 **A.** May I refer?

24 **Q.** To your report?

25 **A.** Yes.

1 Q. Please.

2 A. (Witness examines document.) Actually, I didn't mention
3 that one.

4 Q. What did --

5 A. It's prior to.

6 Q. Tell me what you didn't mention.

7 A. In 2005 I went to Jinzhou, which is a chloride-route
8 titanium dioxide plant in the northeast of China.

9 Q. And what did you do -- now, you were in court yesterday;
10 right?

11 A. Yes.

12 Q. So you heard testimony about Jinzhou; right?

13 A. Correct.

14 Q. And that's the very same place that you went to in 2005?

15 A. Correct.

16 Q. Did you disclose that in your expert report?

17 A. No, because it was only three days. I don't think I put
18 it down.

19 Q. Did you advise counsel of that?

20 A. Yes, I did.

21 Q. You did. But you elected not to put it into your report?

22 **MR. GASNER:** Objection.

23 **THE COURT:** Sustained.

24 **BY MR. AXELROD:**

25 Q. Okay. So what did you do in Jinzhou in 2005?

1 **A.** I spent three days there, and I went to look at their
2 plant, particularly with a view to looking at their titanium
3 tetrachloride facility.

4 **Q.** Why did you go there?

5 **A.** As I said, the company that I had been hired as a
6 consultant to had obtained a license from RMI for a titanium
7 tetrachloride plant. I believe they were intending to try and
8 sell that design to Jinzhou.

9 **Q.** Okay. What was the name of the company that you were
10 consulting with?

11 **MR. GASNER:** Objection, Your Honor. This is improper
12 voir dire.

13 **THE COURT:** Overruled.

14 **THE WITNESS:** Professionals Development Sino, S-I-N-O,
15 USA Corporation.

16 **BY MR. AXELROD:**

17 **Q.** Professional Development?

18 **A.** Sino USA Corporation.

19 **Q.** Okay. So in 2005 you went to Jinzhou with the
20 Professional Development Sino USA Corp, and you -- what was the
21 purpose of the visit?

22 **A.** I was not told that by that company. I was told we were
23 going to look at their TiCl production facilities.

24 **Q.** Well, you were going there to bid on a contract, weren't
25 you?

1 **A.** Not to my knowledge at that time.

2 **Q.** Well, you were there as the technical expert for that
3 contract, weren't you?

4 **MR. GASNER:** Objection.

5 **THE COURT:** Sustained.

6 **BY MR. AXELROD:**

7 **Q.** Weren't you there as the technical expert?

8 **MR. GASNER:** Same objection.

9 **THE COURT:** Overruled.

10 **THE WITNESS:** Yes.

11 **BY MR. AXELROD:**

12 **Q.** Okay. So you went there. And who was with you when you
13 went?

14 **A.** The head of Professional Development Sino USA Corporation.

15 **Q.** And who is that?

16 **A.** Mr. James Wang.

17 **Q.** Had you worked with Mr. Wang before?

18 **A.** No, that was the first time.

19 **Q.** And did you travel with him to China?

20 **A.** Yes, I did.

21 **Q.** And did you talk about the work you were going to do?

22 **A.** Yes, we did.

23 **MR. GASNER:** Objection, Your Honor. Outside the scope
24 of voir dire.

25 **THE COURT:** Sustained. Please keep this within the

1 scope of a proper voir dire.

2 **MR. AXELROD:** Well -- thank you, Your Honor.

3 **Q.** You were going there as the technical expert to bid on a
4 contract; right?

5 **A.** I did not know that at the time.

6 **MR. GASNER:** Objection.

7 **THE COURT:** Overruled.

8 **BY MR. AXELROD:**

9 **Q.** When did you learn that you were there to bid on a
10 contract?

11 **A.** In 2007, when I was rehired by Professional Development
12 Sino USA Corporation to update the designs that he had
13 purchased from RMI. That's when he told me that that's what
14 we'd gone to Jinzhou for.

15 **Q.** So you went to Jinzhou with him in 2005. And this was in
16 the fall, right? This was October 2005?

17 **MR. GASNER:** Objection.

18 **THE COURT:** Overruled.

19 **THE WITNESS:** I don't remember the timing, no. I'm
20 sorry.

21 **BY MR. AXELROD:**

22 **Q.** You don't remember going to Beijing on October 25th, 2005?

23 **A.** It sounds about the right time. I don't remember the
24 exact date.

25 **Q.** Okay. So you went with him, and he later told you --

1 you're saying in 2005 you went there to Jinzhou to do some
2 work; right? You just didn't know you were bidding on a
3 contract?

4 **A.** That is correct.

5 **Q.** Okay. Describe for me the work that you thought you were
6 going there to do.

7 **A.** At Jinzhou was purely to review their TiCl plant to see
8 whether Professionals Development Sino USA could be of
9 assistance to them in improving that TiCl plant.

10 **Q.** And you subsequently learned that PD Tech had actually bid
11 on a contract at Jinzhou; right?

12 **A.** I --

13 **MR. GASNER:** Objection.

14 **THE COURT:** Sustained.

15 **BY MR. AXELROD:**

16 **Q.** When you were there, did you meet with employees of
17 Pangang Jinzhou?

18 **A.** Yes.

19 **Q.** Did you meet with a gentleman by the name of Zheng
20 Shaohua?

21 **MR. GASNER:** Objection.

22 **THE COURT:** Overruled.

23 **THE WITNESS:** It doesn't ring a bell.

24 **BY MR. AXELROD:**

25 **Q.** It doesn't ring a bell? Well, you met with engineers

1 there; right?

2 **A.** That is correct.

3 **Q.** And some of the engineers -- who are the engineers that
4 you met at Pangang Jinzhou?

5 **A.** I only remember one because I only received one business
6 card, which I looked up, and that was Liu Changhe. I apologize
7 for the pronunciation.

8 **Q.** What was his role?

9 **A.** He was introduced to me -- and I don't speak Chinese, so I
10 was working through an interpreter -- as part-owner of Jinzhou
11 Titanium Company, I believe, is what it was called.

12 **Q.** So what did you learn about the bid that PD Tech made in
13 2005 on the Jinzhou contract?

14 **A.** Nothing.

15 **Q.** You never learned anything about it?

16 **A.** Nothing.

17 **Q.** So you're saying you went there in 2005 with Mr. Wang, and
18 you didn't know that he was making a \$4.4 million proposal to
19 bid for the Pangang Jinzhou contract?

20 **A.** I did not know that.

21 **MR. GASNER:** Objection.

22 **BY MR. AXELROD:**

23 **Q.** You did not know that?

24 **THE COURT:** Overruled.

25

1 **BY MR. AXELROD:**

2 **Q.** You didn't learn at that time that Mr. Liew won that
3 contract?

4 **A.** No, I did not know that.

5 **Q.** When did you learn that?

6 **A.** Not until I started this case.

7 **Q.** Okay. So at the time you started the case, you were aware
8 of the fact that Mr. Liew had won the contract that the company
9 that you worked for went to get; right?

10 **MR. GASNER:** Objection.

11 **THE COURT:** Overruled.

12 **THE WITNESS:** I didn't know that's why we were going
13 to Jinzhou.

14 **BY MR. AXELROD:**

15 **Q.** No, I understand that, sir, but I'm asking you a different
16 question.

17 **A.** Okay.

18 **Q.** Okay. My question is: When you got involved in this
19 case, you knew that Walter Liew won the Jinzhou contract;
20 right?

21 **A.** From the documents I received from Keker & Van Nest, that
22 is correct.

23 **Q.** Okay. And you also knew that the company that you worked
24 for had bid for that very same contract; right?

25 **MR. GASNER:** Objection. Argumentative.

1 **THE COURT:** Sustained.

2 **BY MR. AXELROD:**

3 **Q.** Well, you testified that that company -- you learned when
4 you got involved in this case, right, that the company you
5 worked for had bid for the Jinzhou contract?

6 **MR. GASNER:** Objection. Asked and answered.

7 **THE COURT:** Overruled.

8 **THE WITNESS:** In 2007 when I was rehired by
9 Professionals Development Sino USA, he told me that he had bid
10 on that contract.

11 **BY MR. AXELROD:**

12 **Q.** And you knew that this case was about that contract;
13 right?

14 **A.** Not specifically, no.

15 **Q.** Well, you reviewed the Indictment?

16 **A.** Yes, I did.

17 **Q.** And you sat in court yesterday?

18 **A.** Yes, I did.

19 **Q.** Do you mean to tell me that before you wrote your expert
20 report, you had no idea that the Pangang Jinzhou contract was
21 one of the issues in this case?

22 **A.** I knew it was part of this case.

23 **Q.** And you chose not to put it into your report?

24 **MR. GASNER:** Objection.

25 **THE COURT:** Overruled.

1 **THE WITNESS:** Because it was such a short period, I
2 did not include it in this -- in my report, correct.

3 **BY MR. AXELROD:**

4 **Q.** And the very first time that you disclosed anything about
5 your involvement in Jinzhou was just a few moments ago when
6 Mr. Gasner asked you questions on direct examination; isn't
7 that right?

8 **MR. GASNER:** Objection.

9 **THE COURT:** Overruled.

10 **THE WITNESS:** I believe I revealed that to
11 Keker & Van Nest before, but in my expert report, that is
12 correct.

13 **BY MR. AXELROD:**

14 **Q.** Okay. Well, when did you tell Keker & Van Nest about it?

15 **A.** Very early on in the case when I was explaining to them my
16 credentials, that I had been to Jinzhou.

17 **Q.** And whose decision was it not to put it in your expert
18 report?

19 **A.** Mine.

20 **Q.** Why didn't you put it in?

21 **A.** Because it was three days. It was a small one. I did not
22 include in my expert report all my assignments as a consultant.
23 There are others. I just chose to leave them out.

24 **Q.** Okay. Well, but this wasn't the first time in your
25 professional life that you had been -- that you had met with

1 Pangang; right?

2 **A.** I have only met with Pangang people at Jinzhou once.

3 **Q.** Right. But I'm asking you about other contacts you've had
4 with Pangang.

5 **A.** To the best of my knowledge, I've not met anybody else
6 from Pangang.

7 **Q.** Really?

8 **MR. GASNER:** Objection.

9 **THE COURT:** Sustained.

10 **BY MR. AXELROD:**

11 **Q.** How about when you were at Millennium?

12 **A.** I never met anybody from Pangang at Millennium.

13 **Q.** Are you saying, sir, that you never met with the Pangang
14 delegation that came to Millennium in 2001?

15 **A.** Correct.

16 **Q.** Did you know about that visit?

17 **A.** No, I did not.

18 **Q.** So you were unaware of the fact that Pangang and its
19 executives came to Millennium in September 2001?

20 **A.** I'd already left Millennium in September 2001.

21 **Q.** You'd already left?

22 **A.** Yes.

23 **Q.** Okay. I want to return to the question of your work in
24 China. So when you went to Jinzhou, you said there was one
25 gentleman that you recalled meeting, right? Liu Changhe?

1 A. Correct.

2 Q. Or something to that effect.

3 A. Yes.

4 Q. I'm sure my pronunciation is not good either.

5 Anybody else that you recall meeting that day?

6 A. By name, no. There was a room of about, I would guess, 20
7 people, maybe 25 for the introductions. Only, I think, two
8 presented me with cards, and the one I've still kept is
9 Mr. Changhe's.

10 Q. What other -- I want to make sure we get all of the work
11 you've done in China out. So what else haven't you told us
12 about?

13 MR. GASNER: Objection.

14 THE COURT: Sustained.

15 BY MR. AXELROD:

16 Q. Can you please identify all the other China work?

17 A. In 2007, I began the redesign of a TiCl plant to be built
18 at Xi'an in the Hunan province.

19 Q. And how do you spell that?

20 A. X-I apostrophe N-A-N [sic].

21 Q. And that was to do a TiCl?

22 A. TiCl plant. The TiCl plant was associated with a titanium
23 sponge plant, not a titanium dioxide plant. And as part of
24 that, I made, I believe, three visits to China, one of them to
25 Beijing to see the design, and two were to the site.

1 As part of the final visit, I also went down to a company
2 called Zhongye, which I think is now part of Bao Tie.

3 Q. Bao Tie?

4 A. Yeah, I believe.

5 Q. When was that?

6 A. Sorry?

7 Q. When was that trip?

8 A. About 2000 -- right at the end. So it would be 2009.

9 Again, a titanium sponge plant.

10 Q. Okay. Anything else?

11 A. That's it.

12 Q. I wanted to also clarify one thing. You said you get paid
13 \$250 an hour?

14 A. Correct.

15 Q. And you've worked about 800 hours on this?

16 A. If that's \$150,000, yes.

17 Q. Okay. I think that's more like \$200,000.

18 A. Sorry. I got my math wrong. It's \$150,000 billed at \$250
19 an hour. I didn't calculate the hours.

20 Q. Okay. Right. But I think the billings that I've reviewed
21 is almost \$200,000, and that was as of the end of January.

22 A. I haven't submitted January's yet.

23 Q. Okay. But that's a \$20,000 bill; right?

24 A. It will -- I haven't worked it out. It will be of that
25 order, yes.

1 Q. And then since the end of January, how much have you
2 billed to date?

3 A. To date, I've not billed anything yet.

4 Q. Well, about how much have you worked on it?

5 A. Probably about 60 to 80 hours.

6 Q. 60 to 80 hours.

7 A. I know it's 150 because I just received a 1099 --

8 Q. Okay.

9 A. -- doing me taxes.

10 MR. AXELROD: I have no further voir dire questions.
11 I do believe that he's a percipient witness to the events in
12 this case and should testify as a percipient witness, not as an
13 expert.

14 THE COURT: All right. The objection is overruled.
15 You may continue, Mr. Gasner.

16 MR. GASNER: Thank you, Your Honor.

17 THE COURT: Would you remove the easel unless you're
18 going to be using it, somebody, please.

19 MR. GASNER: Yes.

20 THE COURT: Because it blocks counsel's view. Thank
21 you.

22 DIRECT EXAMINATION (resumed)

23 BY MR. GASNER:

24 Q. Okay. Let's shift gears. We've heard a lot of testimony
25 in this case about DuPont and their chloride-route process, and

1 I'd like to spend some time discussing with you, for the jury's
2 benefit, other chloride-route processes that have been employed
3 elsewhere in the past.

4 So let's start with a little bit of a refresher on the
5 chemical process. Can you refresh the jury's memory on just
6 the very highest level of the chloride-route process?

7 **A.** There are essentially three parts to a chloride process.
8 The first one is the manufacture of titanium tetrachloride. We
9 all call it $TiCl_4$, so I'll call it $TiCl_4$.

10 The second part of the process is the oxidation unit where
11 you take the $TiCl_4$, react it with oxygen to form what I call
12 base pigment, which is raw titanium dioxide powder.

13 The third part of the process is called "finishing" by
14 most people, and by that, finishing puts a surface coating, a
15 bit like the chocolate in M & M is covered with a sugarcoating,
16 we do the same with titanium dioxide. This makes it useful in
17 the final product because some surface coatings work in
18 plastics applications, some work in paint applications, some
19 work in paper applications. They don't all work in everything.
20 So you tailor the surface treatment.

21 **Q.** Have you had occasion to become familiar with a company
22 called Ti-Cons?

23 **A.** Ti-Cons are one of the process consultants companies in
24 the industry, yes.

25 **Q.** Have you looked at the presentation that they put on their

1 website?

2 **A.** Yes, I have.

3 **Q.** Would that be a helpful demonstrative in helping the jury
4 to understand the process at another level of detail?

5 **A.** That's very good.

6 **MR. GASNER:** Your Honor, request permission to display
7 Exhibit 1688 as a demonstrative.

8 **THE COURT:** Yes.

9 **MR. GASNER:** Mr. Guevara, if you can put that up.

10 **Q.** So let's just start on the front page. What are we
11 looking at here?

12 **A.** This is just a photograph of the plant that was in the --
13 this is from the website, I presume. The copy I got is from
14 the website. And this is the first page of that website
15 presentation.

16 **Q.** Where is Ti-Cons located?

17 **A.** Leverkusen, Germany.

18 **Q.** Let's go to the next page, if we could, Mr. Guevara.

19 And tell us what, in terms of the chloride-route process,
20 is being explained on this slide.

21 **A.** Because of the history of titanium dioxide, a number of
22 people split off what is called the front end -- here it's
23 called base material -- from the back end, which is the
24 finishing plant that I just described.

25 The reason for that is that the base pigment is

1 specifically chloride. The finishing plant, however, is also
2 used in the sulfate process. So there are commonalities
3 between the finishing process in chloride and the finishing
4 process in the sulfate process.

5 **Q.** In a typical TiO₂ plant, are there different sections of
6 the plant?

7 **A.** Yes.

8 **Q.** Tell us about that.

9 **A.** Normally, the first part of the plant is the
10 ore-and-coke-handling system. You have to bring raw materials
11 into the process. The two major ones are titanium dioxide ore
12 and some form of coke, usually petroleum coke.

13 **Q.** So is that part of the TiCl plant?

14 **A.** Usually, yes.

15 **Q.** Okay. So there's the TiCl plant, and then there's, at the
16 other end, the finishing plant. What's in between?

17 **A.** The oxidation plant.

18 **Q.** Okay. So let's go to the next slide, and this is --
19 combines both the TiCl plant and the oxidation plant; true?

20 **A.** That is correct.

21 **Q.** Okay. If you could just walk us through this very quickly
22 just as a refresher course on the process.

23 **A.** On the left-hand side in red are the raw materials coming
24 into the plant, which is ore, coke, oxygen, and chlorine.

25 **Q.** Okay. And what happens in the chlorination box?

1 **A.** In the chlorination box, you react the ore and coke with
2 chlorine to form what we call crude titanium tetrachloride.
3 It's unpurified at this point. It's a fluid bed. It works at
4 very high temperatures, and the $TiCl_4$ -- $TiCl$ -- is driven off
5 the top of the chlorinator as a gas.

6 It then passes over to condensation, where the $TiCl$ is
7 condensed. Some of the impurities are removed, not all but
8 some. This crude $TiCl_4$ then goes to the next box, which is
9 $TiCl_4$ purification.

10 **Q.** Okay. I'm just going to circle where you are.

11 **A.** Right.

12 **Q.** And you should feel free to do that as well if you need to
13 use the display.

14 So we're at $TiCl_4$ purification. What's the next step
15 after that?

16 **A.** Basically, the pure $TiCl_4$ now, which is what we call gin
17 white, is fed into the $TiCl_4$ oxidation process.

18 **Q.** Okay.

19 **A.** Into this section here (indicating).

20 **Q.** And then the bottom part -- let's see if we can clear
21 that. There we go.

22 In terms of everything that's happening down here that
23 I've just circled, what's that?

24 **A.** Associated with particularly the chlorination unit,
25 there's a number of sort of ancillary plants. Out of

1 chlorination -- titanium ore is not pure titanium dioxide. It
2 contains anywhere between, let's say, 60 percent TiO₂ and
3 95 percent. The impurities all react in the chlorinator, just
4 about. You have to get rid of those.

5 So what they do is they form metal chlorides, which is
6 this box here (indicating), and these are removed and treated
7 in a dust-treatment plant, and finally neutralized, solids
8 removed, and then usually sent to an ocean or a river as almost
9 pure water.

10 **Q.** Okay. Let's go to the next page, if we could.

11 In terms of the chlorination step, what further detail
12 does this slide provide?

13 **A.** What it shows you, basically, is that the chlorine -- the
14 majority of the chlorine that is being used comes back from the
15 oxidation unit. It's a closed-loop recirculation, so the
16 chlorine goes round and round. It keeps reacting and then
17 keeps being regenerated in the oxidation unit.

18 **Q.** Okay. Mr. Guevara, let's go to the next slide, if we
19 could.

20 Condensation step. Tell us at just a high level what's
21 going on here.

22 **A.** There are two parts to condensation. The first part is
23 the gases still contain a lot of impurities and a lot of dust.
24 The only way to get rid of those is to, basically, wash them
25 with liquid TiCl₄. That washes out the dust and the impurities

1 and cools the gases further.

2 Then those gases, now free of all these solids, goes into
3 either another series of what are called contact condensers,
4 where you spray cold $TiCl_4$ into the vessel to cool the gases
5 further, or indirect, in which case you pass them up a piece of
6 equipment which has water on the shell side, on the side -- on
7 one side of the tubes and the gases pass up the other side of
8 the tubes.

9 The last stage -- and this is this low-temperature cooling
10 system here (indicating) -- titanium tetrachloride at this
11 stage is a very expensive chemical. So you want to get as much
12 of it out as you can, so you actually use a refrigeration unit
13 to condense the last dregs. The gases then go off to be
14 treated. The treatment plant, depends where you're located,
15 but more and more they are getting to be very complicated
16 pieces of equipment.

17 **Q.** Okay. Let's go to the next step, purification. What's
18 depicted here in terms of typical practice in TiO_2 ?

19 **A.** One of the impurities we don't want is a compound called
20 vanadium. It actually turns white pigment pink, which would
21 not be very useful in white paint. So we actually react the
22 $TiCl_4$, which contains vanadium, with a number of different
23 compounds. There's many listed in the literature.

24 It forms a sludge. You then boil this liquid. The sludge
25 stays behind. The liquid passes up this distillation column,

1 very pure now; goes over the top; and then is condensed into a
2 storage tank.

3 **Q.** Okay. Mr. Guevara, let's go to the next slide, oxidation.
4 Again, is this an illustration of a typical practice
5 throughout the industry?

6 **A.** Yeah. There are some pictorial difference, I almost call
7 them, between some of the processes, but they all function the
8 same.

9 Sorry. There are two different types of processes in
10 oxidation. This one depicts what is called a high-pressure
11 process. Okay?

12 **Q.** I take it the other kind is a low-pressure process?

13 **A.** Low-pressure, yes. Logical.

14 **Q.** All right. So tell us about the high-pressure. And, for
15 example, what is DuPont?

16 **A.** DuPont is a high-pressure process.

17 **Q.** Okay. So this is depicting the same type of process that
18 DuPont uses?

19 **A.** That is correct.

20 **Q.** Okay. Tell us about the oxidation process as depicted on
21 this slide.

22 **A.** Pure titanium tetrachloride, that one (indicating), is
23 heated to about 450, 500 degrees centigrade in what is actually
24 a piece of equipment mainly used in the petrochemical industry.
25 It was sort of borrowed for the TiO₂ industry. They're much

1 more common in the petrochemical industry.

2 It heats the $TiCl_4$ up. It boils, so it's now a gas. It
3 passes into what here is called the aluminum chloride
4 generator. This is a vessel where you actually make a compound
5 called aluminum chloride. I apologize. I slip to English
6 occasionally. We call it aluminium. But it's an aluminum
7 chloride generator.

8 Here you react aluminum with chlorine. The final pigment
9 requires to have a compound called alumina in it. So you
10 actually put aluminum chloride in, which later reacts with
11 oxygen to form alumina. And then this is fed into the reactor.

12 Along the bottom you also need to heat oxygen to make the
13 reaction go. Oxygen is heated in two parts. The first part
14 heats it to about a thousand degrees centigrade, which is in
15 this oxygen heater (indicating).

16 It then passes into the reactor, the back part of the
17 reactor, and it's still not hot enough. So you actually burn
18 toluene.

19 **Q.** So the reactor is this thing I'm --

20 **A.** Let me try and clear it.

21 **Q.** -- circling?

22 **A.** That's it.

23 **Q.** Okay.

24 **A.** That one (indicating).

25 **Q.** All right. I'll go with your circle.

1 That's a reactor there?

2 **A.** That's a reactor.

3 **Q.** Okay. And by the way, let's just pause for a second. Are
4 there -- how many major titanium dioxide manufacturers are
5 there in the world today?

6 **A.** Five.

7 **Q.** And those are?

8 **A.** DuPont, Cristal, Huntsman, Kronos, and Tronox.

9 **Q.** So which of those are high-pressure system?

10 **A.** DuPont, Kronos, Huntsman, part of Cristal.

11 **Q.** And who uses low pressure?

12 **A.** Tronox and part of Cristal.

13 **Q.** Okay. So DuPont is not unique in using a high-pressure
14 system?

15 **A.** No, it is not.

16 **Q.** And in this -- is this typical, from your experience, this
17 slide we're looking at, of the oxidation method used by
18 high-pressure manufacturers?

19 **A.** Yes.

20 **Q.** Okay. Why don't you finish up on this one. Tell us what
21 else is in the typical high-pressure system.

22 **A.** So when you burn the toluene and the oxygen, you push the
23 temperature up. Now you're getting hot, about 1500 degrees
24 centigrade. The two are combined in -- the oxygen and the
25 $TiCl_4$ are combined and they react very quickly. This is a

1 millisecond reaction time. It also gives out heat. It's
2 what's called exothermic. It gives out a lot of heat. So you
3 have to cool the gases, which is done in this cooling duct that
4 is here (indicating). There (indicating). That's the cooling
5 duct.

6 This is a representation more akin to the Kronos design.
7 The others use something that's pictorially different, but
8 functions the same.

9 Then the pigment, which is now a solid and it's cooled to
10 about 200 degrees centigrade in this cool pipe or cooling duct,
11 is taken out by a bag filter.

12 **Q.** Okay. And bag filters, are those different designs at
13 every plant?

14 **A.** Surprisingly, most of them are sent from the same
15 supplier. They're all the same.

16 **Q.** Let's go to the next slide, dust treatment. Is this
17 something common to all the major manufacturers or just
18 high-pressure or what?

19 **A.** It is not -- it is not determined by high pressure or low
20 pressure. This is determined purely by the site on which the
21 plant is built. Some processes just purely neutralize the
22 waste with lyme, and some go through quite sophisticated
23 methods to recover some of the ore and coke. This happens to
24 show a recovery system.

25 **Q.** Okay. Let's go to the next slide, second dust treatment.

1 Same situation: Everybody does it in slightly different ways?

2 **A.** No. DuPont does not treat their effluent on a couple of
3 plants like this. Those plants are Altamira and DeLisle in
4 Mississippi.

5 **Q.** Okay. Let's go to the next slide, off-gas treatment. Is
6 this something common to all plants?

7 **A.** Yes.

8 **Q.** Tell us briefly what is going on in terms of the process
9 here.

10 **A.** In the chlorinator when you add the coke, the coke is
11 there to raise the temperature and also to act to rejuice the
12 TiO_2 . So you form CO and CO_2 .

13 As part of that you also -- because there's a bit of water
14 slips into the process through various routes, you form HCl or
15 hydrochloric acid. You also lose a little bit of $TiCl_4$. So
16 you have to remove these under the various air regulations. So
17 you go through a scrubbing system.

18 They all have part one, this one (indicating); they all
19 have part two, this one (indicating); they all have part three,
20 this one (indicating).

21 The last two may or may not be present, although
22 incinerators are becoming more and more common.

23 **Q.** Okay. Let's jump a couple of pages. If you could go to
24 the next-to-the-last page, Mr. Guevara, that says "Typical
25 Consumptions-1."

1 What's this?

2 **A.** Ti-Cons present a chart which tells you how much of what
3 we call utilities -- steam, electricity, gas, oxygen,
4 nitrogen -- are used in the process to produce one ton, in this
5 case, of TiO₂. They also tell you the main raw materials:
6 coke and slag, how much you need to produce it. It's
7 actually quite accurate.

8 **Q.** And let's go to the next page, Mr. Guevara, if you might.

9 This is more of the typical consumptions of utilities at
10 plants around the world; true?

11 **A.** That is correct.

12 **Q.** And last page talks about a typical plant and provides
13 some project data.

14 Tell us about that. I mean, is this, in your experience,
15 about how complicated a plant is in titanium dioxide?

16 **A.** Oh, yes, very much so.

17 **Q.** So it's more than 22 kilometers of piping?

18 **A.** Yes, it is more than.

19 **Q.** More than a thousand isometric drawings?

20 **A.** Nowadays we tend to do all that electronically, but, yes,
21 that is about the right number.

22 **Q.** And 41,000 piping objects. Is that typical?

23 **A.** Yes.

24 **Q.** Now, this Exhibit 1688 that we've been looking at, this is
25 just available on the Internet?

1 A. Yes.

2 Q. And why -- Ti-Cons, what business are they in?

3 A. They are in business to license titanium dioxide by the
4 chloride route. That's their sole function.

5 Q. Have they done that in the past?

6 A. Yes.

7 Q. What plants have they licensed, in your --

8 A. To my knowledge at present they have licensed Xinli,
9 X-I-N-L-I, in China; Henan Billions in China; Xingmao Luohe
10 China. Those are the ones I know about.

11 Q. So in taking this to the next level -- so this kind of
12 information is available just on the Internet. You can go to
13 consultants.

14 Are there process choices that, if you're going to design
15 a titanium dioxide plant, that any engineer has to face?

16 A. Oh, yes. Yes.

17 Q. Let's take a look --

18 MR. GASNER: If I might, Your Honor, permission to
19 display 1692 as a demonstrative.

20 THE COURT: Yes.

21 BY MR. GASNER:

22 Q. What is this?

23 A. This is a flow chart that I produced, and it tells the
24 choices, the major choices that you have when you come to
25 design a chloride-route titanium dioxide plant.

1 Q. All right. So let's go through this. The first box that
2 you have is ore and coke feed, and you have under process
3 choices, pneumatic and mechanical.

4 What is -- tell the members of the jury what are those
5 choices there?

6 A. When you bring the ore and coke into the plant, you can
7 either convey it on big conveyors and elevators that are
8 standard commercial equipment, grain silos, and things like
9 that; or you can blow it around the plant in piping using air,
10 again standard commercial equipment. But you do have that
11 choice. It depends where you are.

12 Q. So you can either move the ore and coke with air -- that's
13 pneumatic feed -- or mechanical?

14 A. That's correct.

15 Q. How about the process choices at the chlorination phase?
16 What are your choices?

17 A. Really there is only one choice. There is a very strange
18 process at Jinzhou that uses what is called molten salt. You
19 actually melt sodium chloride and add the ore and coke to it.
20 The only chloride plant that uses this is Jinzhou.

21 There are other plants in the Ukraine which produce $TiCl_4$
22 for titanium metal -- a different thing altogether -- that use
23 molten salt. But apart from Jinzhou, everybody uses a fluid
24 bed.

25 Q. Next step, cooling. Process choices, spray duct or spray

1 duct and spray dryer. Tell us about that.

2 **A.** Off the top of the chlorinator, you have to cool the
3 gases. To cool the gases, you have to spray liquid $TiCl_4$.
4 It's the only way.

5 So you can do it one of two ways. You either spray the
6 liquid $TiCl_4$ into a big pipe or you spray the $TiCl_4$ into a big
7 pipe plus a big vessel, which is the spray dryer in this case.

8 **Q.** Basically, two ways to do it; take your pick?

9 **A.** Basically, yes. By the way, a lot of -- it varies. Each
10 company has done both. All the companies have used both
11 methods, and they seem to fix on one method because it's what
12 they get used to.

13 **Q.** Next step, solids removal. We talked about that with the
14 Ti-Cons website. You got down two process choices, cyclone or
15 spray dryer.

16 **A.** Yes.

17 **Q.** Tell us about that.

18 **A.** If you've cooled the gases down to 200 degrees centigrade,
19 then the best way of doing it is go through what is called a
20 cyclone. It spins the gases very fast. The solids go to the
21 outside; the pure gases go up out of the top.

22 A spray dryer is part of the cooling system, but it's also
23 a very inefficient cyclone. So it's just a choice again.

24 **Q.** Next step moving down is condensation, and you've got two
25 process choices, either direct contact or shell/tube heat

1 exchangers.

2 Tell us about those basic engineers choices that people
3 make in this industry.

4 **A.** Firstly, all processes have a direct-contact condenser.
5 It's the only way because, as I said earlier on, these gases
6 still have some solids, so you have to wash them out. So you
7 have the first stage is always a direct contact.

8 Then a direct -- you can either use to cool the gases down
9 to about minus 20 degrees centigrade to get all the $TiCl_4$ out
10 of it. You can either use more of these where you spray $TiCl_4$
11 in, or you have $TiCl_4$ gases passing up the inside of a pipe and
12 cooling water or refrigeration passing down the outside of the
13 pipe, and that gets you the cooling.

14 That's how -- the shell-and-tube heat exchanger is.

15 **Q.** So let's just pause for a second. Is this process choice
16 thing, is this a document you prepared for this case or did you
17 use this in your consulting practice?

18 **A.** No, I've used this in my consulting practice.

19 **Q.** This is to explain to potential clients of yourself what
20 their design choices are?

21 **A.** That is correct.

22 **Q.** I notice for crude $TiCl$ storage, you don't give them any
23 choices. Why not?

24 **A.** It's a big tank. That's all it is. It's a tank.

25 **Q.** Okay. Next one down, purification. You've got either

1 batch distillation or continuous distillation. Tell us what
2 that means.

3 **A.** Okay. The batch distillation is basically obsolete
4 nowadays. There are still a few around. What it is, is you
5 put this crude $TiCl_4$ into a vessel; you add the reactant to
6 remove the vanadium; and then you can either boil it, a bit
7 like a whiskey still -- in other words, you put a charge in,
8 boil it, and then you recharge it later on -- or you
9 continuously add the $TiCl_4$ and continuously boil it.

10 The actual equipment looks almost the same. It's just the
11 way you run it.

12 **Q.** And then pure $TiCl$ storage, that's just tanks?

13 **A.** Tanks.

14 **Q.** Now, are there lots of them?

15 **A.** Depends on the plant. Anywhere between -- if you're
16 supplying the $TiCl_4$ in the titanium industry, you have to have
17 a lot more, but typically it's two or three.

18 **Q.** Okay. Let's go to the next page. So those are the design
19 choices that would be into designing the $TiCl$ plant, the first
20 part of any TiO_2 plant.

21 **A.** That is correct.

22 **Q.** Let's go to the second building, if you will, the
23 oxidation building, and talk about the oxidation process here.

24 You've divided the world into Kerr-McGee, common, and
25 DuPont/Millennium; is that right?

1 A. That's correct.

2 Q. Why do you divide the world up into those three?

3 A. Kerr-McGee is the only low-pressure titanium dioxide
4 process left, but it is used by other companies because Tronox,
5 as it is now called, licensed the process to Cristal, to
6 Ishihara in Japan, and to KMMML in India. So it's not just one
7 company. That's why I call it a process. But it's essentially
8 a single company.

9 Q. So in your industry, is it common to refer to a
10 DuPont/Millennium process?

11 A. No.

12 Q. How do people -- is that your phrase?

13 A. That's my phrase.

14 Q. What do people talk about in common -- when engineers get
15 together in the titanium dioxide industry and talk about types
16 of processes? How do they divide it up, in your experience?

17 A. The main way is high pressure, low pressure, but also it's
18 a DuPont type or it's a Tronox type.

19 Q. Those are the way people divide the world up in
20 conversation?

21 A. Pretty much, yes.

22 Q. All right. So tell us about -- why don't we start with
23 the DuPont-type process in the right side. Walk us through
24 each of those boxes.

25 A. Well, from the Ti-Cons drawing, we've already seen this.

1 The first part of it is the $TiCl_4$ vaporizer superheater.

2 That's where you heat the $TiCl_4$ to 450 to 500 degrees
3 centigrade.

4 You then pass it forward into an Al chloride generator,
5 where you react the aluminum and chlorine to form aluminum
6 chloride. That passes then into the reactor. Down the center,
7 all plants have an oxygen preheater, independent of process.

8 **Q.** Okay. And after the reactor, what happens next?

9 **A.** Then, functionally, it's the same piece of equipment, but
10 the DuPont type and, say, the Kronos type look different. One
11 has got what is called a flue pond, where pipes are submerged
12 in water and you can see the pipes; the other one, instead of
13 submerging in water, they have another pipe around the outside
14 of them, and you pump water through that, that pipe.

15 **Q.** So if I understand your chart correctly, if you want to
16 build a plant, you can either have a cooling tube or a flue
17 pond, both?

18 **A.** That's correct.

19 **Q.** So who's got which? In the world's major manufacturers of
20 TiO_2 , who are the flue pond people and who are the cooling tube
21 people?

22 **A.** In the high-pressure process, Kronos have got a cooling
23 tube; everybody else is flue ponds. In the low-pressure
24 process, Kerr-McGee has a cooling tube.

25 **Q.** Okay. And then, finally, in terms of the oxidation plant,

1 design choice separator and bag filter in the Kerr-McGee
2 process or bag filter in the DuPont/Millennium process. Is
3 that the choice?

4 **A.** Yeah. One of the patents I did find shows, actually, a
5 second piece of equipment in the DuPont process, which is a
6 cyclone, which is somewhat similar to a separator, but they all
7 have bag filters.

8 **Q.** Okay. And then slurring and pretreatment is something
9 that everybody does?

10 **A.** Yes.

11 **Q.** All right. Finally, let's go to the next page.

12 **THE COURT:** Before we do that, maybe this is a good
13 time to take our break.

14 **MR. GASNER:** Certainly, Your Honor.

15 **THE COURT:** All right, ladies and gentlemen, we'll
16 take our first break of this morning. Remember the Court's
17 usual admonitions. Keep an open mind. Don't discuss the case.
18 I'll see you in 15 minutes.

19 And you can step down, sir, as well.

20 **THE WITNESS:** Thank you.

21 (Proceedings were heard out of the presence of the jury:)

22 **THE COURT:** 15 minutes, Counsel.

23 (Recess taken at 9:42 a.m.)

24 (Proceedings resumed at 10:00 a.m.)

25 (Proceedings were heard out of the presence of the jury:)

1 **THE COURT:** Please bring the jury in.

2 (Proceedings were heard in the presence of the jury:)

3 **THE COURT:** Please be seated.

4 You may continue.

5 **MR. GASNER:** Thank you, Your Honor. Mr. Guevara,
6 let's go to the next page of the slide, finishing process.

7 **Q.** Mr. Cooper, can you tell the members of the jury what are
8 the basic design choices involved in this third part of the
9 titanium dioxide plant, that is the finishing plant?

10 **A.** All processes have already slurried the titanium dioxide
11 in water. So on the right-hand side, the DuPont process, they
12 screen the slurry.

13 **Q.** What does everybody else do?

14 **A.** They have what is called a "sand mill" or "media mill" in
15 which the slurry is passed through. It contains sand in an
16 agitator, which actually grinds up the pigment to a very small
17 size.

18 **Q.** So then Kerr-McGee, Millennium, and others do some milling
19 before it gets to the treatment phase; true?

20 **A.** That is correct.

21 **Q.** Okay. And then treatment, washing, drying, steam
22 micronizing, those are all the same for everybody?

23 **A.** You've got a choice of treatment. You can either use
24 batch treatment where you put a load in and treat with
25 chemicals, let it react, and then pump it out.

1 There is one, maybe two companies who don't do batch but
2 instead do it in a continuous method in a piece of pipe and
3 just continuously add the chemicals. But mainly it's batch.

4 **Q.** Okay. And then for washing, what are the design choices
5 there?

6 **A.** In the early days, there was only one choice, which is
7 what is called "rotary vacuum filters" or "rotary drum
8 filters." And what you do is you -- basically, like a coffee
9 filter. You pour the slurry in. The water is drawn through
10 the filter; and to wash out any impurities, you add water just
11 like in a percolator or a filter drip.

12 There have been new types come onto the -- into the
13 industry recently which are called pressure filters, which you
14 pump it in under high pressure and then wash it.

15 **Q.** How about the next stage, drying. Everybody has to do
16 that. What are the different methods?

17 **A.** Tunnel dryers, which is a very old-fashioned -- an
18 old-fashioned bread-oven-type design where you put a pigment in
19 at one end, it goes along a long belt with hot air, and it
20 removes the moisture from it. Those are pretty much gone
21 nowadays.

22 So today spray dryers, very common piece of industrial
23 equipment. You spray it into a big chamber, you pass hot gas
24 up through it, and it removes the water.

25 Spin flash dryer is essentially the same, but it does it

1 with a much more concentrated amount of TiO₂ of pigment going
2 into it, but very, very similar.

3 Q. What's the next box in the finishing plant?

4 A. Steam micronizing.

5 Q. What's that?

6 A. I hesitate about the word "micronizing" because
7 micronizing is, in fact, a trade name by the Sturtevant
8 company. But what it is is --

9 Q. Did you say Sturtevant?

10 A. Sturtevant.

11 Q. They're a vendor of some type?

12 A. Yes, they're a vendor. They sell to many more industries
13 than just the TiO₂ industry.

14 And what you do in there is you put pigment, which is now
15 dry or semi -- almost dry, into a vessel. You accelerate it
16 using steam, very high-pressure steam, so the particles collide
17 and break up. They got stuck together in drying is what
18 happens, so you need to break them up again.

19 Q. Let's go to the last couple of boxes there. You talk
20 about slurry production and then packing. What does that mean?

21 A. In the United States a lot of the big paint companies
22 require their product mixed with water already, so they don't
23 have to do it. And that's slurry production. It's only done
24 in the United States essentially.

25 Q. What's the other method?

1 **A.** The other method is to put it in big bags of bigger,
2 bigger bags; in other words, I say 25K because I'm, you know,
3 I'm a European, but it's 50-pound in the States; or anything up
4 to 1 ton bags, and it just goes in through a packet. These
5 packing machines are standard. They pack cat litter, flour,
6 and corn, and all sorts of stuff. You can just buy them off
7 the shelf basically.

8 **Q.** Okay. So these are the design choices that anybody would
9 phase in designing the three parts of the plant: The TiCl
10 plant, oxidation plant, finishing plant?

11 **A.** That is correct.

12 **Q.** Let's pull the camera back a little bit and talk
13 historically.

14 When did TiCl plants first come into being?

15 **A.** TiCl plants came in a lot earlier than chloride route
16 plants. The earliest reference I found is in 1919, which is
17 the Stauffer Chemical Company in Niagara was making TiCl.

18 **Q.** So TiCl has other users besides making titanium dioxide?

19 **A.** Yeah. About 90 percent of it is going to titanium
20 dioxide, but about 5 percent goes into making titanium metal,
21 you know, Dreamliners and 747s and submarines, and things like
22 that.

23 And then there are some special titanium chemicals, which
24 is the other 5 percent.

25 **Q.** Okay. So that's -- so TiCl plants go all the way back to

1 the first World War or thereabouts.

2 And how about titanium dioxide by the sulfate route, when
3 did that all start?

4 **A.** It's been developed over the years. It started very
5 early, but you can trace sort of modern sulfate plants to the
6 1930s, mainly in Europe, actually, but also in the States; and
7 they were developed on a parallel path, basically.

8 **Q.** So of the three parts of any TiO₂ plant, what's the same
9 and what's different in a sulfate plant versus a chloride-route
10 plant?

11 **A.** Essentially the base pigment plant that we talked about in
12 that first job with the one box, so the difference is the base
13 pigment. Once you get beyond base pigment, the finishing
14 plant, if not identical, then almost identical between the two.

15 **Q.** Okay. All right. So let's zero in now a little bit on
16 titanium dioxide by the chloride route. When was that first
17 developed?

18 **A.** That was in the late '40s, early '50s, primarily by DuPont
19 but followed very quickly by a number of other manufacturers.

20 **Q.** Who are the other manufacturers back then that also
21 started doing chloride route in the '40s and '50s?

22 **A.** DuPont is clearly in the '40s. In the '50s there was work
23 being done by people like PPG, Pittsburgh Plate Glass, a
24 company called American Cyanamid, New Jersey Zinc, National
25 Lead; and then the ones we know of today, the Tronoxs, in

1 particular, started in the early '60s. Kronos was about the
2 same time, I believe.

3 **Q.** So have you had occasion to refer to a textbook by the
4 name of "Titanium" by a fellow by the name of Jelks Barksdale?

5 **A.** Jelks Barksdale is the first book on titanium in all its
6 forms. He started off looking at the oil supply and then
7 developed on to look at its uses.

8 There are two editions. The first one is in the '40s.

9 **Q.** So tell us about that as a reference manual. Is it one
10 that's often referred to in the field?

11 **A.** Oh, yes. Oh, yes, particularly us old guys, yes.

12 **Q.** Is it a reliable authority for old and young?

13 **A.** It carries an awful lot of the detail work that was done
14 in laboratories and research facilities around the world.

15 **MR. GASNER:** Ms. Ottolini, if we could turn to the
16 ELMO, is it --

17 **THE COURT:** Yes. Push it all the way up.

18 **MR. GASNER:** Push it all the way up. Okay. There we
19 go.

20 Your Honor, I would ask permission to display the
21 "Titanium" textbook by Barksdale as a learned treatise, 1949,
22 reliable authority, and would ask for permission for the
23 witness to refer to it during his direct examination?

24 **THE COURT:** Any objection?

25 **MR. AXELROD:** No objection, Your Honor.

1 **THE COURT:** All right. You may do so.

2 **MR. GASNER:** Thank you, Your Honor.

3 **Q.** So I'm putting on the ELMO the cover of this so we can all
4 see it. Is this the Barksdale textbook?

5 **A.** This is the first edition, yes.

6 **Q.** All right. And let's flip to the copyright page. So
7 that's copyright 1949; correct?

8 **A.** That's correct.

9 **Q.** Okay. And if we go to the Table of Contents, there is a
10 chapter on the chloride process; is there not?

11 **A.** That's correct, Chapter 17.

12 **Q.** Okay. Let's turn to Chapter 17.

13 Did you look at this textbook in your work on this case?

14 **A.** Yes, indeed.

15 **Q.** Okay. So let's -- maybe you can just give the members of
16 the jury just a quick overview. Back in 1949, when this
17 textbook was written, had anybody commercially manufactured
18 titanium dioxide by the chloride route?

19 **A.** No, they hadn't.

20 **Q.** And when did that happen?

21 **A.** In the mid to late '50s is when commercialization was
22 done.

23 **Q.** So at this stage in 1949, what was the kind of information
24 that Mr. Barksdale had assembled?

25 **A.** There was an awful lot of research and research papers

1 being done at the time. It had been identified that using $TiCl$
2 as an intermediate in the process had a great number of
3 advantages over the sulfate process. I mean, it's a lot easier
4 to purify, for instance. So there was a lot of research going
5 on at the time.

6 **Q.** Let's take a look at page 311 in the middle there. Can
7 you tell the members of the jury what's involved in the
8 Barksdale textbook at the part that we're looking here just at
9 a high level?

10 **A.** This is describing research work that was being carried
11 out on the production of titanium tetrachloride at the time.
12 It's quoting temperatures and raw materials, and, you know,
13 1,050 degrees C required, and various basic data like that.

14 **Q.** Okay. Let's continue on on our guided tour of Barksdale.
15 I'm showing you and putting on the screen for the jury
16 there's a section called "Ilmenite." What's that about?

17 **A.** Ilmenite is one of the raw materials that was being looked
18 at in the research work at the time. Ilmenite is a lower -- I
19 don't want to use grade -- a lower TiO_2 content raw material;
20 and there was a lot of work going on because ilmenite is very,
21 very common. It's much more common than some of the other
22 ores. So that's where people started trying to make that work.

23 **Q.** And does this also disclose various temperatures and other
24 parameters for making --

25 **A.** Yes.

1 Q. -- TiO₂ from ilmenite?

2 A. And the amount of carbon you need and how you mix it
3 together, and all that sort of thing.

4 Q. Okay. So there's several pages on ilmenite; and if we
5 continue along, I'm putting on the screen now there's a section
6 on purification. Do you see that?

7 A. Yeah.

8 Q. And what was the state of the art for getting vanadium out
9 of TiCl back in 1949?

10 A. It was realized very early that you had to get rid of the
11 vanadium. So there was a lot of work going on with some very
12 strange materials, as well as some very common ones. This one
13 refers to things like gold, silver, mercury, sodium amalgam.
14 But it was actually doing the fundamental work on how to remove
15 vanadium.

16 Q. And, eventually, what was the material that was commonly
17 used to remove vanadium?

18 A. There were a number, but they all have the same similar
19 property in the way they react. So soap was used, certain
20 natural oils.

21 Q. Okay.

22 A. Copper was used.

23 Q. So back in 1949, they were talking about how to get the
24 vanadium out.

25 A. That's right.

1 Q. And in this next section it talks about precipitation of
2 titanium dioxide. Tell us what Barksdale had to say back in
3 1949 on that topic.

4 A. Yeah. What they were trying to do is reproduce the
5 sulfate process using titanium tetrachloride, which is the one
6 that's bracketed number one, hydrolysis of aqueous solutions.

7 Then number two starts talking about in the vapor phase,
8 which is in the development of the modern process.

9 And then three is where we really got to, it says:

10 (reading)

11 "By heating the anhydrous material in the vapor
12 phase, admixed with air or oxygen at high temperature,
13 either indirectly or directly."

14 That's number three.

15 Q. So just pointing with my pen to item three, can you read
16 that to the jury and tell them what it means?

17 A. "By heating the anhydrous material," which is pure $TiCl_4$,
18 which we've talked about, "in the vapor phase," in other words,
19 it's a gas, it's actually like a modern process, "admixed,"
20 means mixing it with air or oxygen which is a modern process,
21 "at high temperature," which is the modern process, "either
22 indirectly," that's not done, but directly in a flame is what
23 we do today.

24 Q. So as early as 1949 in textbooks talked about how to do
25 oxidation?

1 A. That's correct.

2 Q. Okay. So let's continue along on our history tour. So
3 who first commercialized the chloride-route process?

4 A. DuPont.

5 Q. Which plant?

6 A. I believe it was Edgemoor was where the first pigment came
7 out of commercially.

8 Q. That's the plant in Edgemoor, Delaware?

9 A. That's correct.

10 Q. And what year, roughly, did they first start producing?

11 A. I believe -- there are a number of reports they said they
12 were making it earlier but were admixing it with sulfate grade;
13 so let's say the late '50s would be a pretty fair estimate of
14 when the commercialization happened to early '60s.

15 Q. Did DuPont set about, from your research, doing patents on
16 the chloride route at an early stage?

17 A. Certainly.

18 Q. I'd like to show you what has been marked as Exhibit 2256.

19 MR. GASNER: Ms. Ottolini, if we can go back to the --
20 or I just put this down, is that --

21 THE CLERK: Turn it off. Turn off the lamp down at
22 the bottom. The top left-hand corner on the bottom.

23 MR. GASNER: Oh, there we go.

24 THE CLERK: Just so the light doesn't burn out. There
25 you go and you're ready. Thank you.

1 **MR. GASNER:** Thank you.

2 Your Honor, may I approach the witness with Exhibit 2256.

3 **THE COURT:** Yes.

4 **BY MR. GASNER:**

5 **Q.** Mr. Cooper, I'm showing you what's been marked as
6 Exhibit 2256. What is it?

7 **A.** It's a DuPont patent dated on the top 1949.

8 **Q.** Does this relate to the chloride route method of
9 manufacturing titanium dioxide?

10 **A.** Yes, specifically the oxidation unit.

11 **Q.** Is this one of the patents that you relied upon in your
12 report in reaching your opinions?

13 **A.** Yes, indeed.

14 **MR. GASNER:** Your Honor, move the admission of 2256.

15 **THE COURT:** Any objection?

16 **MR. AXELROD:** No objection.

17 **THE COURT:** Admitted.

18 (Trial Exhibit 2256 received in evidence)

19 **BY MR. GASNER:**

20 **Q.** So let's blow up the front part.

21 Thank you, Mr. Guevara.

22 Can you just tell the members of the jury, first of all,
23 this was patented on November 15, 1949; is that right?

24 **A.** That's correct.

25 **Q.** And the inventor is shown as a Mr. Schaumann. Do you see

1 that?

2 **A.** Yes, that's correct.

3 **Q.** And then it says he's an assignor to E.I. DuPont de
4 Nemours & Company, et cetera. Do you see that?

5 **A.** Yes.

6 **Q.** Can you tell the members of the jury what does it mean to
7 be an assignor to the company?

8 **A.** People make inventions, but usually they're working for a
9 company; and part of the agreement is if you make an invention,
10 you'll assign it, you'll give the rights of the patent to the
11 company, in this case DuPont, so they can commercialize or do
12 whatever they want with it.

13 **Q.** And if we go a little bit further down, it shows, in that
14 same block, that it was -- there's an application November 30,
15 1946. Do you see that?

16 **A.** That's correct.

17 **Q.** That means the inventor applied for the patent in 1946;
18 right?

19 **A.** That's correct, and then it has to go through a period of
20 review before it will be finally published.

21 **Q.** And that's the patented date is when it gets published a
22 few years later?

23 **A.** That's correct.

24 **Q.** Let's take a look, Mr. Guevara, if you would, at Column 1,
25 lines 6 through 10. If you could just blow that up for us.

1 So it talks about the preparation of titanium dioxide
2 through the reaction of titanium tetrachloride in the vapor
3 phase with an oxygen-containing gas or by a so-called
4 steam-splitting reaction is already known.

5 Do you see that?

6 **A.** Yes.

7 **Q.** What was steam splitting?

8 **A.** Instead of using oxygen, you actually used water vapor to
9 react with the $TiCl_4$.

10 **Q.** How did that work?

11 **A.** Not well.

12 **Q.** And let's go, then, to Column 9, lines 20 through 31.

13 Blow that up for the witness and the jury.

14 And this is in the claims; right?

15 **A.** That's correct.

16 **Q.** And can you tell the members of the jury the difference
17 between what's in the specification part of the patent and
18 what's in the claims?

19 **A.** The claims set, basically, the boundaries of what the guy
20 is claiming that the actual invention works. They are usually
21 quite broad, as broad as the patent examiner will let you get
22 away with, because that makes it somewhat more difficult for
23 other people to avoid the patent, let me put it that way.

24 **Q.** Okay. And in this particular claim, DuPont got a patent
25 for a process for producing a titanium oxide patent comprising

1 reacting titanium tetrachloride in the vapor phase at an
2 elevated temperature of at least 800 degrees C with an
3 oxygen-containing gas. Do you see that?

4 **A.** Yes, I do.

5 **Q.** Is that essentially the modern method of --

6 **A.** Yes, indeed.

7 **Q.** -- making titanium dioxide?

8 And then it goes on to provide other limitations.

9 **A.** Yeah. As I mentioned, it's a very fast reaction, so it
10 mentions .01 seconds to five seconds is the reaction time. So
11 it's a very fast reaction.

12 **Q.** And this is -- a patent at this time, this patent would
13 last for 17 years; is that right?

14 **A.** That's what my research shows, yes.

15 **Q.** And if you were with a competitor -- you mentioned earlier
16 the employers that you've worked with have studied patents.

17 **A.** Yes.

18 **Q.** Do they study them with an eye towards absorbing knowledge
19 from them while the patents are still in force?

20 **A.** There are really two or three main things. One, patents
21 when they're published tell you what the competition is doing,
22 where their research and where their developments are going,
23 which tends to suggest to you that you better either be doing
24 the same thing or similar; or there's a company about to be
25 going bankrupt because it's not what you should be doing,

1 depending on your level of knowledge.

2 The second thing really is, yes, how does our process
3 comply with this.

4 The third thing you have to be very careful about in
5 patents is what is called "prior art." Prior art, basically,
6 says, if you've done it before but didn't patent it, it's a way
7 of avoiding the patent. So it means you have to document very
8 carefully all your R&D work, so that if it ever comes up in
9 case law, in a case, you can prove that you didn't infringe the
10 patent because of doing it earlier.

11 And the final way is how to avoid the patent on your own
12 process because it may be a very good idea, but clearly you
13 don't want to take the patent information and just plug it into
14 your own plant. So you want to do it without infringing the
15 patent.

16 **Q.** And that's a process that companies go to looking at the
17 claims; is that fair to say?

18 **A.** Yes, that is true.

19 **Q.** So even while the patent is in force, it's possible to
20 absorb knowledge from the patent for a variety of purposes, you
21 just need to avoid infringing the claims of the patent; true?

22 **A.** That is correct.

23 **MR. AXELROD:** Objection, Your Honor.

24 **THE COURT:** Sustained.

25 **MR. AXELROD:** May I ask to have the last answer

1 stricken?

2 **THE COURT:** Yes. The jury will disregard the last
3 answer. It's stricken.

4 **BY MR. GASNER:**

5 **Q.** Is there another version of the Barksdale textbook that
6 came out later?

7 **A.** Yeah. Barksdale significantly extended his work in, I
8 think, it was 1966 or 1968. I forget the exact date.
9 Somewhere around there, '69 maybe, into a second edition, which
10 is much more comprehensive.

11 **MR. GASNER:** Your Honor, I would ask to show the
12 witness as part of his testimony Exhibit 3219, which is the
13 Barksdale textbook, for purposes of referring to it during his
14 direct examination as a learned treatise.

15 **THE COURT:** All right. Any objection?

16 **MR. AXELROD:** No objection, Your Honor.

17 **THE COURT:** All right. You may go ahead.

18 **MR. GASNER:** So, Mr. Guevara, if you could call up
19 3219, in particular page 574.

20 **Q.** So kind of scrolling forward in time in the '60s, was
21 Barksdale keeping track of the titanium dioxide plants around
22 the world?

23 **A.** Yes, he was.

24 **Q.** And if we can go to just a little bit further down,
25 Mr. Guevara, just include that next paragraph, both Table 24

1 and the next. That's perfect. Thank you.

2 Barksdale goes on to say: (reading)

3 "In addition to the plants listed above, that
4 titanium pigment plants based on the chloride process are
5 in operation or are planned in France, Japan, and the
6 United Kingdom."

7 Do you see that?

8 **A.** Yes, I do.

9 **Q.** Tell us about that United Kingdom one. Is that where you
10 got your first job?

11 **A.** Yeah. In fact, there were two in the United Kingdom. One
12 was Laporte Industries, as I said. The other one was a company
13 called British Titan Products.

14 **Q.** So in the DuPont world, they had the Edgemoor plant
15 listed. When did the Antioch plant come around for DuPont?

16 **A.** I'm not exactly sure, but it was sometime after that. I
17 think it was the late '60s.

18 **Q.** And tell us about the Antioch plant. Did you come to know
19 how that plant operated?

20 **A.** Yeah. The Antioch was a relatively small 27,000,
21 25,000-ton plant built in what is now Oakley, California, I
22 believe they call it. It was meant to serve the West Coast
23 paint market was its original intent.

24 For a number of reasons it was slightly different in that
25 it used high-grade ore. Later on in my career, when I came to

1 the States, I was told that that was what was copied to go into
2 the Ashtabula Plant 1.

3 Q. Okay. And how long was the Antioch plant in operation?

4 A. I think it finally closed, I think, in 1998, but parts of
5 it were closed before that.

6 Q. So let's talk kind of internationally. You mentioned in
7 your conversation with Mr. Axelrod that you had visited the
8 Jinzhou plant in China.

9 A. That is correct.

10 Q. I think you said that it involved molten salt?

11 A. Yes.

12 Q. Tell us about that. In terms of a molten salt plant, what
13 parts are similar to chloride route and which are different?

14 A. The major difference is in the chlorinator right at the
15 front of the chlorination unit, so instead of using what is
16 called the fluid bed where you put solid ore and coke into a
17 bed, get it hot and it bubbles and boils away. I think there
18 was a previous description in some testimony.

19 In the Jinzhou plant instead of doing that, you actually
20 melt salt, sodium chloride, common salt. And then you put ore
21 and coke into it and bubble chlorine through this molten salt
22 mixture.

23 This was a process developed, to my knowledge, by the
24 Ukraine when it was still part of the Soviet Socialist
25 Republic, to produce titanium. It never was meant to produce

1 titanium dioxide.

2 Once you get passed that sequence, the equipment becomes,
3 if not the same, very similar to a normal chloride plant
4 chlorination unit; and then beyond that the oxidation unit and
5 the finishing plants are just the same. So it's just that very
6 specific area.

7 **Q.** So in terms of, you know, TiCl plant, oxidation plant,
8 finishing plant, molten salt is just a way of doing the
9 chlorinator itself?

10 **A.** That is correct.

11 **Q.** Is the rest of the TiCl plant similar?

12 **A.** Very much so.

13 **Q.** Oxidation plant similar to other chloride route plants?

14 **A.** Yes, it is.

15 **Q.** And how about the finishing plant?

16 **A.** Yes, it is.

17 **MR. GASNER:** Your Honor, may I approach the witness
18 with Exhibit 1910?

19 **THE COURT:** Yes.

20 **BY MR. GASNER:**

21 **Q.** Showing you what's been marked as Exhibit 1910. What is
22 this, Mr. Cooper?

23 **A.** This is actually appears to be photographs of the Jinzhou
24 plant.

25 **Q.** Does it accurately depict the Jinzhou plant when you saw

1 it in 2005?

2 **A.** Yes, it does.

3 **MR. GASNER:** Your Honor, move the admission of 1910.

4 **MR. AXELROD:** No objection, Your Honor.

5 **THE COURT:** Admitted.

6 (Trial Exhibit 1910 received in evidence)

7 **BY MR. GASNER:**

8 **Q.** So let's zoom in. What are we looking at on the left at
9 the Jinzhou plant?

10 **A.** The left, I believe, is the purification unit of the TiCl
11 plant.

12 **Q.** And how about on the right?

13 **A.** That's the flue pond of the oxidation unit with the two
14 heaters.

15 **Q.** So Jinzhou had a flue pond already in 2005?

16 **A.** Oh, yes.

17 **Q.** And they had an oxidation unit; is that true?

18 **A.** That is correct.

19 **Q.** Was this plant operating when you were there?

20 **A.** Yes, it was.

21 **Q.** What kind of output did it have when you visited?

22 **A.** It's relatively small, nameplate is about 15,000 tons per
23 year. Probably operating somewhere around 10 to 12,000 tons
24 per year.

25 **Q.** Was it operating as just a batch process or as a

1 continuous process?

2 **A.** No, it was a fully continuous process.

3 **Q.** Commercially successful?

4 **A.** In China, yes, it was.

5 **Q.** Mr. Axelrod asked you some questions about the
6 circumstances of your visit. Do you recall him asking those
7 questions earlier today?

8 **A.** I do.

9 **Q.** Is it unusual in your profession to work for one party
10 looking for a contract and then later to work for a different
11 party?

12 **A.** It's fairly common. There aren't many of us around is
13 what it comes down to. The TiO₂ industry, the chloride TiO₂
14 industry, is relatively a small industry. Cristal Global just
15 announced they only employ 4,000 people worldwide, and they're
16 the second largest producer. So when you look at people with
17 technical expertise, there aren't many of us around.

18 **Q.** Could you function as a consultant if you just worked for
19 one party in the titanium industry?

20 **A.** No, and I don't.

21 **Q.** So let's talk about Jinzhou a little bit.

22 You said you met a person there by the name of Changhe
23 Liu?

24 **A.** Yes. I apologize for my pronunciation.

25 **Q.** Well, and I apologize likewise.

1 Can we just get clear on one thing? Mr. Liu's first name
2 is Changhe?

3 **A.** Yes.

4 **Q.** And last name Liu?

5 **A.** L-I-U, yes.

6 **Q.** But sometimes in China they put the last name first as a
7 matter of practice?

8 **A.** Yes, I guess.

9 **Q.** So for today we'll go with the American style of surname
10 last. Does that work for you?

11 **A.** Yes, that's fine with me.

12 **Q.** And did you come to know that Mr. Changhe Liu had written
13 a book about titanium dioxide?

14 **A.** Yes. You gave me the copies of it.

15 **Q.** And is that a book that you reviewed in connection with
16 your opinions --

17 **A.** Yes, I did.

18 **Q.** -- here today?

19 **MR. GASNER:** Your Honor, I would ask to display 2879
20 simply as a demonstrative.

21 **THE COURT:** Any objection?

22 **MR. AXELROD:** No objection, Your Honor.

23 **THE COURT:** All right. You may proceed.

24 **MR. GASNER:** And if we could turn the ELMO back,
25 Ms. Ottolini, I would appreciate it.

1 Q. So is this the Changhe Liu textbook that you reviewed?

2 A. Yes.

3 Q. And did you have an opportunity to review some
4 translations of it?

5 A. I did.

6 MR. GASNER: Your Honor, may I approach with
7 Exhibit 3411?

8 THE COURT: Yes, you may.

9 BY MR. GASNER:

10 Q. I just want to focus on --

11 MR. AXELROD: Your Honor, may we have a sidebar on
12 this issue?

13 THE COURT: All right. You may stand, ladies and
14 gentlemen.

15 (The following proceedings were heard at the sidebar:)

16 THE COURT: Yes, Mr. Axelrod?

17 MR. AXELROD: Your Honor, the concern I have is I
18 don't believe this is the kind of textbook that this expert
19 relies on in his work. It's in Chinese. I don't believe he
20 reads Chinese or speaks Chinese, or that in the ordinary course
21 of his work he gets books translated.

22 And, so, I'm not sure where they're going. They haven't
23 indicated if they're trying to admit this, but it doesn't seem
24 to be the type of information that would be appropriate.

25 THE COURT: All right.

1 **MR. GASNER:** So, Your Honor, what I propose to show
2 the witness is several of the illustrations. One at page 341
3 of the exhibit is just the depiction of the molten salt
4 chlorinator. Just a demonstrative to see if that squares with
5 his recollection of it.

6 And then another diagram at page 347, which shows the
7 operation process of foreign equipment for fluidized bed
8 chlorination.

9 And this is really kind of an illustration for his
10 testimony, which is that Jinzhou in 2005 already had a pretty
11 detailed appreciation of what foreign plants were. So it's
12 really just an illustration of his verbal testimony. I'm not
13 going to get into the Chinese text, but I think it is part of
14 his testimony.

15 **MR. AXELROD:** Well, I don't -- I'm not sure that
16 that's relevant. He can speak to those things and what he
17 understood; but to try to bolster it with a textbook that he
18 doesn't rely on seems inappropriate. He's talked about molten
19 salt. He can talk about molten salt. He can talk about what
20 he observed at Jinzhou; but to bring in and show the jury all
21 these images from books, it's not appropriate.

22 **THE COURT:** Well, more to the point, I think you need
23 to lay a proper foundation that this is the type of textbook
24 that is a recognized treatise or textbook in the field as
25 opposed to some illustrative book. So I think that's really

1 what I'd like to see.

2 **MR. GASNER:** Okay.

3 **THE COURT:** All right.

4 **MR. GASNER:** I'll give it a try.

5 **MR. AXELROD:** But I don't understand how Chinese
6 textbooks would be something that would be in the field that he
7 would be evaluating. I mean --

8 **THE COURT:** We need to hear a foundation because there
9 hasn't been one, I think.

10 **MR. AXELROD:** Understood. Thank you.

11 **THE COURT:** All right.

12 (The following proceedings were heard in open court:)

13 **MR. GASNER:** May I proceed now, Your Honor?

14 **THE COURT:** Yes. Would you mind taking that off until
15 you've laid the proper foundation?

16 **MR. GASNER:** Yes.

17 **THE COURT:** Thank you.

18 **MR. GASNER:** May I show it to the witness?

19 **THE COURT:** Of course.

20 **BY MR. GASNER:**

21 **Q.** Mr. Cooper, in the course of research that you've done,
22 both over the years in the industry and in preparation for your
23 testimony here, do you occasionally rely on foreign textbooks?

24 **A.** Yes.

25 **Q.** Why is that?

1 **A.** You get what you can. Recently, the Germans in
2 particular, but also some English, have been publishing quite
3 detailed textbooks on TiO₂. So I just buy them. So, yes.

4 **Q.** And do you rely upon translations for your analysis of
5 those kinds of works?

6 **A.** Yes.

7 **Q.** Did you rely on the Changhe Liu textbook in the course of
8 reaching your opinions here today?

9 **A.** The translation of, yes.

10 **MR. GASNER:** Your Honor, I would ask to display the
11 indicated portions, if I might.

12 **THE COURT:** All right. Yes?

13 **MR. AXELROD:** I have the same objection.

14 **THE COURT:** All right. It's overruled. It's not
15 going to be admitted into evidence, but you may show it to the
16 jury.

17 **MR. GASNER:** Thank you, Your Honor.

18 If I can retrieve -- may I approach the witness?

19 **THE COURT:** Yes. Please retrieve that.

20 **BY MR. GASNER:**

21 **Q.** I'm going to show you what has been marked as Figure 410.
22 Let's start -- let's go straight to 4-10, which is at page 347
23 of your translation. And I'm going to display on the screen
24 the Chinese version.

25 And the translation below this it says: (reading)

1 "Figure 4-10, operation process of foreign equipment
2 for fluidized bed chlorination."

3 Do you see that?

4 **A.** I do.

5 **MR. AXELROD:** If I may ask Mr. Gasner what exhibit is
6 the translation?

7 **MR. GASNER:** It's 3411, Mr. Axelrod.

8 **MR. AXELROD:** Thank you.

9 **MR. GASNER:** You're welcome.

10 **Q.** Do you have that in front of you, Mr. Cooper?

11 **A.** Yes, I do.

12 **Q.** Based on your review of this document, can you explain to
13 the jurors what's depicted in Figure 4-10 in terms of the
14 processes that we've been talking about before?

15 **A.** This is a standard chlorination unit up to and including
16 the condensation system. It starts on the left with the raw
17 materials addition. It goes through a fluid bed. Then the
18 cyclone in this particular case, and then it shows three-stage
19 condensation system.

20 **Q.** There are numbers associated with each of these devices,
21 so what numbers are you referring to?

22 **A.** The Number 6 is the chlorinator, Number 7 is the cyclone,
23 Number 10 is the first-stage condenser, 14 is the second-stage
24 condenser, and 15 is the third-stage condenser.

25 And below them are the various tanks that collect the

1 TiCl4, Number 12 and 16, and in them -- sorry, 12 is the pump,
2 16 is the tank. There are two pumps shown circulating the
3 liquid around because you need to pump it around, and those are
4 12 and 17.

5 **Q.** In your three-day visit to Jinzhou, that was in 2005, did
6 you say?

7 **A.** That's correct.

8 **Q.** And did you come to appreciate their level of
9 sophistication in terms of knowledge of worldwide practices?

10 **A.** Yes, I did.

11 **Q.** What was your impression?

12 **A.** They were fairly up-to-date on what we discussed in terms
13 of the technology. Their plant clearly was much, much older
14 than the things that they knew.

15 **Q.** And let's take a look, if you would, at the beginning of
16 this textbook. And there's a part where I'm pointing my pen
17 that says "2005." If you look at the translation, can you read
18 that to us where it says "Production and application
19 technology"?

20 **A.** Sorry, what page are we on?

21 **Q.** So this is the second page of Exhibit 24 -- 3411, the
22 translation. If you look at the -- it's the frontest piece
23 that has the cataloging information on it.

24 **A.** Oh, yes. I've got it: (reading)

25 "Beijing new arrival Number 039."

1 Q. And where it says below that, "Cataloging and publication
2 CIP data," and it goes on, could you read that for us, please?

3 A. Yes. (reading)

4 "Chinese version of library CIP checking data 2005
5 Number 100350."

6 Q. Okay. So what I'm looking for is the date of publication
7 as best you can ascertain it from the translation.

8 A. From 2005.

9 Q. Okay. Thank you.

10 Did you get a chance to meet Mr. Liu, Changhe Liu?

11 A. Yes. He was in this big meeting that we had with their
12 engineers, and he was specifically introduced to me.

13 Q. In addition to being a textbook author, did he have any
14 other relationship with the Jinzhou project?

15 A. I was told that he was a part owner of the plant. I
16 believe his card said "Senior Engineer" or something on it.

17 Q. Let's go back. I want to show you another patent. Just
18 scrolling back, so we were just in 2005. I want to roll back a
19 little bit to the early stages and show you what I've marked as
20 Exhibit 2246.

21 MR. GASNER: May I approach, Your Honor?

22 THE COURT: Yes, you may.

23 BY MR. GASNER:

24 Q. Do you recognize this document?

25 A. Yes, I do.

1 Q. What is it?

2 A. It's a patent put out by the Pittsburgh Plate Glass
3 company dated 1939.

4 Q. So we talked about DuPont being a pioneer in the chloride
5 route for titanium dioxide. This is an even earlier patent;
6 true?

7 A. That is correct.

8 Q. And does this relate to opinions that you reached in this
9 case?

10 A. Yes. This relates to the titanium tetrachloride
11 production, particularly iron removal and things like that.
12 So, as I say, $TiCl_4$ manufacture predated the chloride process
13 by many years, and this happens to be a patent from 1939.

14 MR. GASNER: Your Honor, move the admission of 2246.

15 THE COURT: Any objection?

16 MR. AXELROD: No objection, Your Honor.

17 THE COURT: Admitted.

18 (Trial Exhibit 2246 received in evidence)

19 MR. GASNER: If we could switch back, Ms. Ottolini.
20 Thank you.

21 Q. And let's go to this first figure. And, Mr. Guevara, if
22 you could pop out, so we can read it a little bit better,
23 underneath Figure 1, the text there. Thank you.

24 Can you tell us what chlorination of ilmenite at
25 980 degrees C, how does that fit into your opinions, if at all?

1 **A.** As I stated, the $TiCl_4$ production process was much earlier
2 than the chloride route TiO_2 process. A number of companies,
3 and PPG happened to be one, were investigating the use of
4 ilmenite chlorination in their chlorinators, and this is what
5 this patent specifically refers to, the use of ilmenite in the
6 chlorinator.

7 **Q.** And ilmenite is ultimately the ore material that DuPont
8 used extensively in its commercial processes; true?

9 **A.** That is correct.

10 **Q.** But the science behind chlorinating ilmenite had been
11 around for a while; is that fair to say?

12 **A.** Quite a long time, yes.

13 **Q.** And patents, such as this one, disclose particular
14 temperatures, things of that nature?

15 **A.** Yes, the amount of coke you need, the temperatures of
16 operation, how much iron was removed because that's what you're
17 trying to do is remove the iron from the ore. So it's quite a
18 nice patent for telling you how to chlorinate ilmenite.

19 **Q.** Let me show you what we'll mark, while we're still in this
20 time frame, Exhibit 2252.

21 **MR. GASNER:** If I might approach, Your Honor?

22 **THE COURT:** Yes, you may.

23 **BY MR. GASNER:**

24 **Q.** Another DuPont patent. Can you tell us on a high level
25 what this is?

1 **A.** (Witness examines document.) This is, actually, I believe
2 what is called a patent for the finishing plant describing how
3 to put the surface coating on and what chemicals you should be
4 using.

5 **Q.** Is this one of the patents that you relied upon in your
6 opinions?

7 **A.** Yes, it is.

8 **MR. GASNER:** Move the admission of 2252, Your Honor.

9 **MR. AXELROD:** No objection.

10 **THE COURT:** Admitted.

11 (Trial Exhibit 2252 received in evidence)

12 **BY MR. GASNER:**

13 **Q.** And, again, this is a different inventor at DuPont?

14 **A.** Yeah. Yes.

15 **Q.** What I'd like to do next, if we might, is to turn to the
16 30K and 100K projects involved in this case, and to walk you
17 through the plant.

18 **A.** All right.

19 **Q.** And ask you questions relating to the Government's
20 allegations at each step of the way.

21 So, Mr. Guevara, if we could put up previously admitted
22 2615.

23 This is the 30K chlorination plant. And if we could turn
24 it, Mr. Guevara so that it --

25 **A.** I don't have it.

1 **THE COURT:** It's not up on his screen.

2 **MR. GASNER:** It's on my screen.

3 **THE WITNESS:** It's not on mine.

4 **THE COURT:** I know, but the witness --

5 **THE WITNESS:** Now it is.

6 **THE COURT:** All right. Thank you.

7 **THE WITNESS:** Thank you.

8 **MR. GASNER:** Thank you.

9 **Q.** And if you can walk us through left to right, there are
10 these yellow vertical structures. So if you just go through
11 just relatively quickly for each one and tell us what it is.
12 That first vertical structure, what is that?

13 **A.** The first vertical structure here -- sorry -- the first --

14 **Q.** Actually, why don't we --

15 **A.** Yeah, let's clear that.

16 **Q.** Yeah. Let's clear that off.

17 **A.** And I'll just point.

18 **Q.** Maybe we can let Mr. Guevara do some neater -- it's easier
19 for him than it is for you and myself.

20 So -- okay. Thank you, Mr. Guevara.

21 What part of the plant is that?

22 **A.** This is the ore and coke handling system.

23 **Q.** Okay. And let's erase that and then identify the next
24 vertical area. What are we talking about in that part?

25 **A.** This is two things. The first is the chlorinator to the

1 left and the spray cooler or spray dryer to the right.

2 Q. Okay. Then what's the next area?

3 A. This is the condensation system.

4 Q. Okay. And continuing onto the right, what's next?

5 A. This is actually -- too much.

6 Q. Okay.

7 A. The first part of that on the left of it, before the big
8 stacks, that is the gas scrubbing system.

9 Q. Okay. What's next?

10 A. And the next one is the purification system.

11 Q. All right. Okay. And in designing each part of the
12 plant, can you tell the members of the jury, what are the
13 different -- where do you start and where do you end up in
14 tackling a project of this magnitude?

15 A. The first thing you do, actually, is you talk to the
16 client and ask him what he wants to be built, what capacity
17 it's going to be, what the raw material is going to be, what
18 the site conditions are. This is called a process-design brief
19 in my terms. You've got to have that information before you
20 can even start a design.

21 The next thing you do is, you do an outline process
22 description of the process. You say what each part is going to
23 do.

24 Q. Is there a name in engineering for this part of the
25 process?

1 **A.** This is called preparation of a feed front-end engineering
2 design.

3 **Q.** Okay. So you interact with the client, find out their
4 needs. What's next?

5 **A.** Then, as I say, after you've done the basic description,
6 you do the process-flow diagrams, because they give you all the
7 flows, the temperatures, the pressures, the chemicals that are
8 going to be used.

9 **Q.** What happens after the PFDs?

10 **A.** After the PFDs, you go to -- you start doing the piping
11 and instrumentation diagrams, the P&IDs, and you also start
12 doing your preliminary equipment specifications. When you get
13 to that stage, then you start doing the drawings --

14 **Q.** Okay.

15 **A.** -- which are preliminary layouts as this drawing is
16 presently showing. This will go through a number of revisions
17 as you further develop the process and instrumentation diagrams
18 primarily and also the equipment specifications. And then you
19 will keep revising and revising as you get more and more
20 information. I've been through 10 revisions on some of these
21 things.

22 **Q.** Let's just pause here and kind of walk through on the 30K
23 plant some of these building block documents.

24 **MR. GASNER:** Your Honor, may I approach with
25 Exhibit 1477?

1 **THE COURT:** Yes.

2 **MR. GASNER:** And I'll note, Your Honor, that it's been
3 stipulated that this was seized from the Maegerle residence.

4 **THE COURT:** All right. Is that correct?

5 **MR. AXELROD:** Yes, Your Honor.

6 **THE COURT:** All right. So stipulated.

7 **BY MR. GASNER:**

8 **Q.** Do you recognize this document, Mr. Cooper?

9 **A.** Yes, I do.

10 **Q.** What is it?

11 **A.** These are the process flow diagrams going up to and
12 including the end of the TiCl plant, which includes
13 purification and storage.

14 **MR. GASNER:** Your Honor, move the admission of 1477.

15 **THE COURT:** Any objection?

16 **MR. AXELROD:** No objection, Your Honor.

17 **THE COURT:** Admitted.

18 (Trial Exhibit 1477 received in evidence)

19 **BY MR. GASNER:**

20 **Q.** So let's take a look at the lower right-hand corner, the
21 title block. And can you tell the members of the jury, first
22 of all, where it says, "Drawing Number, PFD-01," how does,
23 based on your review, the PFDs -- how are they numbered? What
24 do they go up to, for one thing, in this packet?

25 **A.** They're numbered by plant unit. In other words, the

1 chlorination unit has one set of numbers, the oxidation unit
2 has another set, the finishing plant has another set. So this
3 one in particular, the chlorination goes 01 through 09. The
4 oxidation units, I believe, go through 101 to I think it's 109,
5 but I can't quite remember at this stage.

6 **Q.** Okay. And to the right of that it says, "Rev A," do you
7 see that?

8 **A.** Yes, indeed.

9 **Q.** Tell the members of the jury, what does that mean?

10 **A.** This is the first time that the drawing will be issued.
11 You will start -- one of the beauties of CAD systems is you can
12 keep changing and changing. So what you would do is draw an
13 outline of the drawing. It would be then checked. It would go
14 back and be corrected. And when you get to that stage, it
15 would be issued in this case as a revision A, the first
16 revision.

17 **Q.** Then it says, "Title: Process Flow Diagram. Petroleum
18 Coke Handling System." Do you see that?

19 **A.** Yes.

20 **Q.** What's that?

21 **A.** That is the coke that is going to be fed to the
22 chlorinator. It shows the handling systems. Typically coke
23 will be delivered by either ship or truck or train, and then
24 you have to offload it somehow. And these are the off-loading
25 systems.

1 Q. This is basically the charcoal that goes in the
2 chlorinator to heat it up?

3 A. That is correct.

4 Q. And above that the project shows this is a 30K project;
5 true?

6 A. Correct.

7 Q. And then if we go up, it's kind of hard to read, but the
8 line above "Performance Group," it talks about "P Zisko,
9 project engineer." Do you see that?

10 A. Yes, I do.

11 Q. And we met Mr. Zisko earlier in the trial. What else is
12 on that line in terms of people participating in this drawing?

13 A. These are typical title blocks that most companies use, by
14 the way. So it is drawn by R -- I believe, sorry, A. Sher. It
15 was drawn on the 11th of May '05. The designer was E. Nelson.
16 The project engineer, who basically is the next level up, was
17 P. Zisko, and the project manager was P. Zisko.

18 Q. And if you look up where I've just put a little arrow,
19 what does "issued for basic design review" mean?

20 A. This means this is the first formal drawing that's going
21 to go out for review by the various engineers and sometimes the
22 client as well.

23 Q. Okay. So let's put the title block down, Mr. Guevara, if
24 you would, and let's blow up this area here (indicating) where
25 it says, "Dryer system vendor package." If I can get rid of my

1 arrow.

2 So, Mr. Cooper, can you explain to us, where it says,
3 "dryer system vendor package," what does that mean?

4 **A.** Petroleum coke or cokes are used in many for industries
5 than titanium dioxide, so they're very common.

6 So rather than design something yourself, you go out to a
7 supplier who's already done it, and you just say, "Will you
8 supply me a design of equipment to do this?" And that becomes
9 what is called a "vendor package." You don't usually go into
10 as much detail in a vendor package drawing because he's going
11 to supply the drawings at a later stage.

12 **Q.** So I'd like to switch gears just for a moment and put up
13 one of the alleged trade secrets in the case?

14 **THE COURT:** Before we do that, or while you're doing
15 that, let's take a stretch break for a minute.

16 **MR. GASNER:** Fine, Your Honor.

17 **THE COURT:** All right. Thank you.

18 (Pause in proceedings.)

19 **THE COURT:** All right. Please be seated, ladies and
20 gentlemen.

21 And you may proceed, Mr. Gasner.

22 **MR. GASNER:** Thank you, Your Honor.

23 I'd like to display previously admitted Exhibit 8 if we
24 might, Mr. Guevara.

25 **Q.** So we've seen a lot of this document while we're in the

1 trial. So we can put away the title block for now,
2 Mr. Guevara. Thank you.

3 What I want you to do, Mr. Cooper, is to show us, what is
4 the ore and coke handling part of Exhibit 8?

5 A. If I can draw?

6 Q. Yeah. Give it a try.

7 A. These are the ore and coke storage hoppers --

8 Q. Okay.

9 A. -- on this one.

10 This is the feed system to the chlorinator (indicating).

11 Q. Okay. And are there additional features in this document?

12 A. Yeah. This drawing shows some features which clearly
13 DuPont show, that they actually show what is called an ore and
14 coke recovery system.

15 Q. Where is that on the diagram?

16 A. This is this area here (indicating).

17 Q. Okay.

18 A. I think that's come out fairly well.

19 Q. Have you compared the ore and coke system that's shown on
20 Exhibit 8, which we've referred to during the trial as trade
21 secret or alleged Trade Secret 4, and compared it to the 30K
22 ore system?

23 A. Yes, I have.

24 Q. And what conclusions did you draw?

25 A. Well, the only similarities, that it's got a storage bin

1 for ore, a storage bin for coke, and it uses a feed pump to put
2 the ore and the coke into the chlorinator. The rest is all
3 different.

4 **Q.** And are those features that you just mentioned, are those
5 publicly disclosed?

6 **A.** Yes, they are.

7 **Q.** And I believe you said when you were looking at the 30K
8 drawing for the PFD, that this was going to be a
9 vendor-supplied system; is that --

10 **A.** This particular part of it. In fact, there's two parts.
11 This is the storage system on this first page, that is the
12 vendor system he's drawing.

13 In practice, from what I can tell from this drawing,
14 DuPont do not use a dryer because they're using a roaster,
15 which is a very different piece of equipment. So it's just
16 different.

17 **Q.** Okay. Well, while we're on alleged Trade Secret 4, let's
18 dig into this document.

19 And, Mr. Guevara, if you could go to the lower right and
20 blow up the title block there.

21 Looking at this part, can you tell the members of the jury
22 kind of what phase of the revision process this drawing is at
23 based on the title block?

24 **A.** Based on the title block, you can't really tell where it's
25 from. You can tell who it's drawn by. You can tell somewhat

1 when it was drawn. You can tell who requested it to be drawn
2 and who was doing the drawing, but I'm struggling to find a
3 revision date or number on it.

4 **Q.** Okay. So is this early, middle, or late stage of drawing?

5 **A.** My interpretation from what's here, it is not a drawing of
6 the plant at all.

7 **Q.** What is it, as far as you can tell?

8 **A.** What it's intended to do is to supply an outline for, in
9 this case, the R & D Department, to allow them to do -- set up
10 a model for the flow rates, temperatures and pressures, of an
11 existing plant. It's not the start of a project or the middle
12 of a project or the end. It's for an existing plant, and
13 they're going to do some work. Mainly, I draw my conclusion
14 from that because it says "R&D," and also there's no numbers on
15 it. There's no details of any.

16 And it shows what I normally do when I'm checking a
17 drawing, is it shows highlighting on all the lines that say
18 I've checked, the lines are there.

19 **Q.** So let's pull away from that block. Let's go to the upper
20 part, Mr. Guevara, where I've -- let's do the whole thing, if
21 you don't mind. Yeah, just go all the way across.

22 So did you look at this part of alleged Trade Secret 4?

23 **A.** Yes, I did.

24 **Q.** Did you find any data of any use on this part of the
25 drawing?

1 **A.** No, there's nothing.

2 **Q.** Are there any numbers on there at all as far as you could
3 tell?

4 **A.** No, there are no numbers at all.

5 **Q.** Is there any useful information on the left part of this
6 part of the drawing?

7 **A.** No.

8 **Q.** Okay. Is there anything -- let's put that away.

9 So you talked about the ore and coke part. What about --
10 what about the rest of this? Maybe Mr. Guevara can improve on
11 my scrawling.

12 Is there anything in this part of the drawing that has not
13 been publicly disclosed?

14 **A.** No. It's all in the public.

15 **Q.** Explain that for us.

16 **A.** Well, when you start on the left-hand side, the
17 chlorinator, there are so many patents regarding the
18 chlorinator, you just go and look it up, and it will tell you
19 what temperature and pressure and flow rates they operate at.

20 The line coming off the top of the chlorinator, it's got
21 many -- no, not that one. The one off the top of the
22 chlorinator.

23 **Q.** I think it's --

24 **A.** This one here (indicating). That's it.

25 **Q.** Okay.

1 **A.** Where the arrow is, that is called various names, normally
2 called a spray duct. That's clear DuPont did draw it in some
3 of their patents.

4 Then it goes into the spray -- I believe this one says
5 "spray condenser." The writing's terrible. Again, that's in
6 all the patents.

7 Going out to the bottom of that particular vessel is where
8 you mix up the metallic chlorides, the waste with water.
9 That's drawn in patents, and it's also in the descriptions in
10 textbooks.

11 The bit to the right is the ore and coke recovery system.
12 Some plants have these, some plants don't; and there's been a
13 lot of data published, particularly in Australia, which I
14 happen to favor because I was there for two years. But there's
15 a lot published there.

16 You then go into the condensation system. The one thing
17 you do notice about this drawing is, A, there's equipment
18 missing; and, B, there's a lot of lines, piping, missing. So
19 it's a very basic drawing of only the chlorination and
20 condensation areas. There's no purification. There's no gas
21 scrubbing. There's just a basic.

22 **Q.** Now, there's been some testimony about Aspen Plus
23 notations on here.

24 **A.** I have seen references to that, yes.

25 **Q.** Okay. Can you tell us what those particular references

1 are in this drawing?

2 **A.** Yeah. They're handwritten, which is why I think this is
3 very early on in the process; but on some of the lines you'll
4 see a reference such as RX25. What that will do is refer in
5 the Aspen model to that line and what's actually happening in
6 that line; but clearly this is so early that they're still
7 handwritten.

8 **Q.** Can you derive from that 'X25, if you don't have the table
9 that it refers to, is there any information or is this like
10 something on top?

11 **A.** It tells you nothing.

12 **Q.** Does this drawing, in your opinion, have any value
13 whatsoever?

14 **A.** No. None.

15 **Q.** Let's move on to -- back to the process flow diagrams, if
16 we might, Exhibit 1477. And let's do a -- perhaps a quick tour
17 through this.

18 If you go to the third page, Mr. Guevara, this is the
19 process flow diagram for the chlorination system, again, Rev A,
20 but let's blow up the table here (indicating).

21 Did you study this?

22 **A.** Yes, I did.

23 **Q.** Can you tell the members of the jury what this is?

24 **A.** What this tells you down the left-hand side is all the
25 chemicals that are going to be present on the plant. It's

1 basically a title block.

2 At the top it starts with "petroleum coke, slag ore," and
3 it goes right down to the box on $TiCl_4$.

4 Below that are the temperature and pressures, and one
5 other number called "specific gravity," which is a very useful
6 number, for each of these lines that are referenced by the
7 numbers in the circles at the top of the table.

8 **Q.** So what stage of the development of the 30K project are we
9 looking at here in terms of these process flows to and from the
10 chlorinator?

11 **A.** These are early flows. Clearly there's some notations
12 which suggest that, you know, there's more to come.

13 **MR. GASNER:** Your Honor, may I approach with
14 Exhibit 1547?

15 **THE COURT:** Yes, you may.

16 **BY MR. GASNER:**

17 **Q.** Mr. Cooper, have you reviewed that document as part of
18 your opinions?

19 **A.** Yes, I have.

20 **Q.** What is it?

21 **A.** These are the calculations that are used to fill in the
22 table to put the numbers into the table for temperatures,
23 pressures, and the like.

24 **MR. GASNER:** Your Honor, move the admission of 1547.

25 **MR. AXELROD:** Objection.

1 **THE COURT:** May I see it, please?

2 (Pause in proceedings.)

3 **THE COURT:** Let's come to sidebar. If you want to
4 stand, ladies and gentlemen, while we're doing that, you may do
5 so.

6 (The following proceedings were heard at the sidebar:)

7 **THE COURT:** All right.

8 **MR. AXELROD:** Your Honor, this is hearsay. This is a
9 series -- it's a document called "process calculations." It, I
10 believe, was found at the home. I don't know how he knows
11 where these numbers came from, what they are. There's
12 handwritten notes in here. He's not -- he wasn't there. He's
13 not in a position to explain what these documents are
14 without -- it's just a hearsay compilation.

15 **THE COURT:** All right. Well, what's your response?

16 **MR. GASNER:** He's an expert. He relied on these.
17 They're not offered for the truth of the matter asserted but,
18 rather, to illustrate his opinion and he'll describe to the
19 jury what they are. If Mr. Axelrod thinks he doesn't know what
20 he's talking about, he can cross-examine him.

21 **MR. AXELROD:** He can talk about them, but this
22 document doesn't come in just because he's an expert.

23 **THE COURT:** All right. My ruling is the objection is
24 sustained pursuant to Rule 703. You can certainly ask him
25 whether he relied on this document and what his conclusions are

1 based upon it, but you cannot get into the content of it
2 because it's hearsay. It's also lacking foundation. So I'm
3 going to sustain the objection.

4 (The following proceedings were heard in open court:)

5 **MR. GASNER:** May I proceed, Your Honor?

6 **THE COURT:** Yes. The objection is sustained.

7 **BY MR. GASNER:**

8 **Q.** I want to ask you about the process calculations that you
9 reviewed as part of your study.

10 Can you tell the jury generally, based on your review, how
11 Performance Group put together the process flows that we see on
12 Exhibit 477 [sic] in the left side?

13 **A.** From the documents I saw, there were too many sources of
14 the information. The first one there were a number of Excel
15 spreadsheets which showed the calculations for most of the
16 plant. They had the supporting calculations behind them, like
17 all Excel tables.

18 In addition, there was series of documents that I reviewed
19 which specifically stated FactSage. These were very
20 complicated, very comprehensive calculations using what I would
21 consider sophisticated calculations using a lot of things we
22 call thermodynamics, equations which calculate temperatures and
23 pressures.

24 **Q.** And is FactSage a software that's commonly used in the
25 industry?

1 **A.** I don't know that it's commonly used. It is commonly --
2 it is available because I found it on their website and studied
3 it on the website.

4 **Q.** Did you study the FactSage calculations?

5 **A.** I did.

6 **Q.** Did you correlate them to the work that USAPTI and
7 Performance Group did?

8 **A.** Yes, I did.

9 **Q.** And can you tell the members of the jury what you found?

10 **A.** As I say, there were very sophisticated, very detailed
11 calculations referring to -- the ones I reviewed in particular
12 related to the chlorination reactions. They contained a lot of
13 information.

14 **MR. GASNER:** Your Honor, may I approach with
15 Exhibit 1519?

16 **THE COURT:** Yes, you may.

17 **BY MR. GASNER:**

18 **Q.** Can you tell us what that is, Mr. Cooper?

19 **A.** Yes. This is a summary report dealing -- from Arthur D.
20 Pelton, Youn-Bae Kang, regarding the FactSage work and the
21 fluid bed chlorination process using FactSage.

22 **Q.** What is the date of this report on the front page?

23 **A.** April 2006.

24 **Q.** Is this a document that you reviewed as part of your
25 opinions?

1 **A.** Yes, I did.

2 **MR. GASNER:** Your Honor, move the admission of 1519.

3 **MR. AXELROD:** Same objection, Your Honor.

4 **THE COURT:** Same ruling. Sustained.

5 **BY MR. GASNER:**

6 **Q.** Can you describe the methodology of the mass and heat
7 balances using the FactSage program that you determined based
8 on your review of the records?

9 **A.** The FactSage program shows that it has a very large
10 database of chemical reactions. The people who generated the
11 report used that database to calculate all the reactions. And
12 the one that I particularly studied around the chlorinator, it
13 tells you how much heat is required, how much heat is
14 generated, all the flow rates, how much carbon monoxide
15 produced, how much carbon dioxide produced. It is very
16 detailed.

17 It also continues, although I did not quite go into the
18 details of it, of the rest of the TiCl plant.

19 **Q.** Let's go back to Exhibit 1477, which has been admitted.
20 And if we could look at -- back at page 3, PFD-3. And if we
21 could zoom in on the actual little sketch of the chlorinator
22 there.

23 Did you study the design of the 30K chlorinator?

24 **A.** Yes, I did.

25 **Q.** Can you tell the members of the jury what were the design

1 features that they used?

2 **A.** Essentially, chlorinators in the industry are the same.
3 They vary in diameter and height depending on the throughput
4 you put through them. There are specific differences relating
5 to how the gas is distributed, although that's not shown on
6 this drawing.

7 But particular on this drawing what I see is, that this
8 chlorinator has only a partial brick lining.

9 **Q.** How does that differ from other chlorinators you've seen
10 in your experience?

11 **A.** There are a few chlorinators that do not have a domed --
12 the top part of the chlorinator which is not lined. Most
13 chlorinators are completely lined.

14 **Q.** Have you come to know how the DuPont chlorinators are
15 bricked?

16 **A.** Yes, I have.

17 **Q.** How is that?

18 **A.** Fully lined.

19 **Q.** In terms of the rest of the process flows, we talked about
20 coke and ore. We won't go through each of these, but let's go
21 to the PFD-5 if we could, Mr. Guevara.

22 Can you tell us what the draw system is?

23 **A.** In the ore there are some compounds, some chemicals that
24 either don't react with the chlorine, or the products of the
25 reaction are actually liquid even at a thousand degrees

1 centigrade. They steadily build up in the chlorinator bed; and
2 if you don't draw them off, then the bed will either stop
3 reacting altogether, or it will go what we call sticky. It
4 will be like toffee and then you won't get reaction either.

5 **Q.** What was the design solution that Performance Group used
6 to solve this problem?

7 **A.** They installed a tank, a refractory line tank, with a
8 piece of piping that connected to the chlorinator with very
9 high-temperature valves because you're dealing with thousand
10 degrees centigrade. The tank then vented to a scrubber, which
11 is shown.

12 And on the right of the drawing, in fact, there is a
13 cooling system because this material is thousand degrees
14 centigrade. So when you mix it with water, it gets very hot,
15 so you have to cool it.

16 **Q.** Let's go to the next page, the process flow diagram 06.
17 Did you review this as part of your opinions?

18 **A.** Yes, I did.

19 **Q.** And what can you tell us about how this was designed?

20 **A.** This is the condensation system. It is different to
21 anything that I've seen before.

22 **Q.** How's that?

23 **A.** It runs at very different temperatures. It's only got a
24 couple of condensers shown. It's just different. It's not
25 typical of the industry. It's not described in textbooks.

1 Q. Let's continue on to the fume disposal, the next PFD.

2 What did you do to determine how this was designed?

3 A. Looked at the PFD, looked at the P&ID, and read the
4 process description. Again, this is very different from what
5 I'm typically used to in the industry, and it's -- it's just
6 different. It's not as efficient as most of the modern ones
7 would be.

8 Q. And in looking at these last two sections that you
9 described, did you find anything that had not been publicly
10 disclosed or readily ascertainable?

11 A. No. These are all -- they're all plain sort of standard
12 pieces of equipment in the industry.

13 Q. You mentioned P&IDs. Can you tell the members of the jury
14 what phase of the project that involves?

15 A. P&IDs start being drawn immediately after you finish the
16 process flow diagrams for the first time. P&IDs have a lot of
17 information which relates to pipe sizing, for instance. It has
18 control systems on it, which none of these drawings do.

19 In other words, it shows how to control the plant. It
20 shows a lot of valving. It begins to show materials of
21 construction for the piping. In modern drawings, which some of
22 these are, it shows all the safety interlock systems as well.

23 MR. GASNER: Your Honor, may I approach with
24 Exhibit 2175?

25 THE COURT: Yes.

1 **BY MR. GASNER:**

2 **Q.** Did you review 2175 as part of your opinions?

3 **A.** Yes, I did.

4 **Q.** What is it?

5 **A.** This is the piping and instrumentation diagram for the
6 petroleum coke handling systems.

7 **MR. GASNER:** Your Honor, this document has been
8 admitted, and I would request permission to display it.

9 **THE COURT:** Yes, you may.

10 **BY MR. GASNER:**

11 **Q.** In the middle part here it talks about the vendor system.
12 Do you see that?

13 **A.** Yes, I do.

14 **Q.** Is that what you were talking about before, there's a
15 whole ore and coke --

16 **A.** This is the drying system. When -- coke sometimes is
17 delivered wet, and you don't want moisture into the
18 chlorinator. So in this particular case, they chose to have a
19 fluid bed dryer installed to dry the coke. This is a standard
20 commercial package.

21 **Q.** But even if you have a standard commercial package, you
22 have to do all the connections and all of that?

23 **A.** And what you -- exactly. And what you see is going across
24 the dashed line, you will see a change in detail. So you'll
25 see pipe sizes to the left; but within the vendor package, you

1 don't see any details.

2 **Q.** Okay. So maybe you can just explain to us what all these
3 little circles and Xs and things mean. We've kind of looked at
4 these briefly, but perhaps you could take us to the next level
5 of understanding.

6 **A.** There is a standard nomenclature for all of these symbols
7 issued by the Instrument Society of America. So, for instance,
8 a diamond with an "I" in it is always an interlock; a circle
9 with no lines or anything is always field-mounted equipment.
10 So if I could point.

11 **Q.** Please do.

12 **A.** If I can draw here (indicating) -- sorry. I'm terrible.
13 The circle is there (indicating) -- that's better. Thank
14 you very much -- show that those are field-mounted instruments.

15 **Q.** Okay. So the record should reflect that you pointed to
16 WSH 1806, WSL 1805, and WT 1806.

17 **A.** That's correct.

18 **Q.** So what are -- those are instruments?

19 **A.** Those are instruments that are used, in fact, to weigh in
20 this case, because, again, it's a standard nomenclature. So
21 that says "WSH" stands for weigh switch high. It tells you
22 when the hopper's full.

23 It then sends a signal back to the computer system to the
24 left -- correct -- which tells you -- "WAH" tells you in the
25 computer system there is a weight alarm high. So it will tell

1 you when you're filling -- when you fully filled in the hopper.
2 These are all standard things. So you just go to the tables
3 and you look them up, and they've all got their own meanings.

4 But the particular things that I was trying to emphasize
5 very badly here (indicating), you can see that the line has
6 actually got a sizing, in this case 1 inch, 25 is the
7 millimeters. The process fluid that's going down it, air. A
8 line number which would refer to a table in which you'd look
9 for more details, then 50 -- sorry, S5, which would be the
10 actual piping specification which told you the material of
11 construction. These are all standard ways of doing things.
12 But inside the box you won't find hardly any of that, if any.

13 **Q.** Okay. Let's talk about another level of the process.
14 We've now gone through some process flows, a P&ID.

15 **MR. GASNER:** Your Honor, may I approach with 2174?
16 Your Honor, if I might approach?

17 **THE COURT:** Yes.

18 **MR. GASNER:** Yes, thank you.

19 **THE COURT:** Has this been admitted yet?

20 **THE CLERK:** No.

21 **THE COURT:** No. Okay. Thank you.

22 **THE CLERK:** You're welcome.

23 **BY MR. GASNER:**

24 **Q.** Did you review this document as part of your opinions?

25 **A.** Yes, I did.

1 Q. What is it?

2 A. This is an equipment list for the 30K.

3 MR. GASNER: Your Honor, move the admission of 2174.

4 MR. AXELROD: Objection, Your Honor.

5 THE COURT: Sustained.

6 BY MR. GASNER:

7 Q. Can you tell us just generally, what's an equipment list?

8 A. It lists all the equipment on the plant by number, usually
9 by process flow diagram, although sometimes it lists it by
10 piping and instrumentation diagram. It gives you a brief
11 equipment description, which is usually included in other
12 process descriptions.

13 If you've actually written a specification for the piece
14 of equipment, it will tell you what the specification number
15 is. And, again, these are typical of all projects.

16 It tells you the material of construction. Sometimes when
17 you're using -- they've got motors on them, electric powers
18 supplied to them. It will tell you what you expect the power
19 to be, how big the power supply needs to be.

20 It usually then includes some basic data; such as
21 diameter, height, length, things like that. There's always a
22 remarks column so you can qualify everything that you've said
23 before.

24 Q. And what part of the process is involved in actually
25 designing each and every item on the equipment specification?

1 When does that happen?

2 **A.** Normally what you do is generate the equipment list first,
3 and that acts as an index for all your equipment specification
4 sheets which follow. That's the classic way of doing the
5 project.

6 **Q.** Did you review all those drawings for the 30K project?

7 **A.** Yes, I did.

8 **Q.** Were there a lot them?

9 **A.** There were a lot of them.

10 **Q.** Now we're down to drawing the actual equipment, what are
11 the other pieces of the puzzle in putting together a plant such
12 as the 30K project?

13 **A.** There are a number of other documents. I mentioned on the
14 P&ID there is a line number. There will be another index with
15 all the line numbers listed with flow rates, temperatures,
16 pressures, insulation, a whole raft of details. There will be
17 an instrument list backed up by a complete specification for
18 each instrument.

19 There will be what is -- some people call it a safety or a
20 control system or an interlock system showing you all the
21 interlocks on the plant. So, in other words, if something
22 happens to go wrong, it shuts down automatically, and that will
23 tell you all that.

24 And then there's usually another document, which has
25 various names, but some of the equipment doesn't fit. It

1 doesn't fit into a standard specification sheet, so they're
2 called various names. I call them piping specials, for
3 instance, which is a separate sheet of paper where you put in
4 special details about that particular piece of equipment.
5 There are more, but I just can't recollect them all at the
6 moment.

7 **Q.** Did you go through all those documents for both the 30K
8 and the 100K plants?

9 **A.** Yes, I did.

10 **Q.** Let's move from ore and coke handling.

11 Mr. Guevara, if we can go back to 2615. And let's zoom
12 in, if we might, on the chlorinator section. So let's see if
13 we can get that in the middle, Mr. Guevara. Thank you.

14 And perhaps, Mr. Guevara, you can draw -- or, better yet,
15 Mr. Cooper, can you draw a section around the chlorinator and
16 the --

17 **A.** (Witness complying.) Better.

18 **Q.** Okay. How many chlorinators have you designed in your
19 career?

20 **A.** Last count was eight.

21 **Q.** Have you studied the chlorinators that are at other plants
22 in the titanium dioxide industry?

23 **A.** Yes, I have.

24 **Q.** What are the commonalities between and among all the
25 chlorinators that you've designed or reviewed?

1 **A.** They're all round. They all have straight sides. They
2 all have a domed end, which is the top of the chlorinator. We
3 call it a dome. They all have a gas distribution system. They
4 all have an outlet. There is a slight difference on some
5 chlorinators that the outlet is not quite on the top, it's on
6 the side at the top.

7 They are all pressure vessels by code. And when I say
8 that, to an engineer that means something special.

9 **Q.** What does that mean?

10 **A.** It means they're all designed to this American Society of
11 Mechanical Engineers Pressure Vessel Code. We refer to it as
12 ASME-8. These are all pressure vessels.

13 **Q.** How about the temperature of intended operation for the
14 chlorinators you've seen in your experience?

15 **A.** There are very narrow ranges. The ranges typical would be
16 between 950 degrees centigrade and approximately 1,030 degrees
17 centigrade. The pressures also are very similar with a few
18 exceptions. Typically they run 10 to 15 pounds.

19 **Q.** Did you review patents relating to chlorinators?

20 **A.** There are many, many patents relating to chlorinators.

21 **Q.** In your report, kind of ballpark, how many patents did you
22 find that relate to chlorinator design?

23 **A.** I think in my book I've got 30 to 40 that I actively rely
24 on, and I usually use two or three as examples.

25 **MR. GASNER:** Your Honor, may I approach with

1 Exhibit 2290?

2 **THE COURT:** Yes.

3 **BY MR. GASNER:**

4 **Q.** What is 2290?

5 **A.** This is one of the early DuPont patents on chlorination,
6 patented in 1971.

7 **Q.** Is this document part of your opinions?

8 **A.** It is.

9 **MR. GASNER:** Your Honor, move the admission of 2290?

10 **MR. AXELROD:** No objection, Your Honor.

11 **THE COURT:** Admitted.

12 (Trial Exhibit 2290 received in evidence)

13 **BY MR. GASNER:**

14 **Q.** So can you tell the members of the jury what this patent
15 discloses about chlorinator design?

16 **A.** I tend to use the examples because they're written in
17 plain English; but it says this chlorinator here operates at
18 950 degrees centigrade.

19 **Q.** All right. So, Mr. Guevara, let's go to Column 4, line 2
20 through 5.

21 And can you tell the members of the jury what you found
22 significant about this patent?

23 **A.** Well, this temperature is the standard, one of the
24 standard quoted temperatures for the operation of a
25 chlorinator.

1 Interestingly, if you do the calculations, you can
2 actually calculate what the flow rate through the chlorinator
3 is, because it tells you it's 122 cubic feet per second of
4 effluent of the gas coming off.

5 It also tells you what the outlet pipe diameter is for
6 this particular chlorinator.

7 **Q.** Why is that significant?

8 **A.** Because you need to know the diameter and the residence
9 time to be able to spray enough cool $TiCl_4$ to cool the gases to
10 the required temperature.

11 **Q.** So let's go, if we might, to the figure on the second
12 page, Mr. Guevara.

13 And can you tell us what we're looking at here?

14 **A.** This is a sketch of the chlorinator, the offgas duct, and
15 in this case the spray dryer assembly.

16 **Q.** Okay. So in this drawing, where's the chlorinator?

17 **A.** This is the chlorinator (indicating).

18 **Q.** Okay. And what's the vessel on the right?

19 **A.** This vessel is normally called the spray condenser or
20 spray dryer.

21 **Q.** And what's the thing connecting them?

22 **A.** That's the spray duct.

23 **Q.** Okay. So, Mr. Guevara, if you could put this side by side
24 with 2615.

25 What can you tell us, Mr. Cooper, in terms of comparing

1 the 30K design to what DuPont had disclosed in patents, just in
2 terms of the overall look?

3 **A.** The overall picture looks identical, frankly, as a sketch
4 to a sketch. They both have a chlorinator with a domed end,
5 with an offgas duct, and with a spray dryer on the end of it.

6 **Q.** So I want to talk about some of the prior DuPont reactors
7 or chlorinators, and what they've disclosed.

8 How, looking at the 30K chlorinator, how does that
9 compare, in your experience, to Antioch and Edgemoor in terms
10 of features that have been publicly disclosed in your opinion?

11 **A.** The Antioch chlorinator is identical to the Ashtabula 1
12 chlorinators that were installed on that plant. There were two
13 of them at Ashtabula 1. There are now three because I designed
14 the one in the middle. So I was able to, basically, copy a lot
15 of the DuPont designs from that plant into, in fact, my own
16 designs in the U.S.

17 **Q.** All right. So let's take this to another level of detail.
18 We've talked about similarities at a high level between
19 chlorinators at all these different plants.

20 The Government has alleged during the trial some very
21 specific things. So let's talk about that.

22 If we can take a look at chlorinator velocity. Is that
23 one of the things you looked at in your --

24 **A.** Very much so.

25 **Q.** I want to ask you some questions about Exhibit 78, which

1 has previously been introduced. Did you review this email as
2 part of your work?

3 **A.** Yes, I did.

4 **Q.** And there was discussion about normal velocity being
5 .75 --

6 **MR. AXELROD:** Your Honor?

7 **THE COURT:** Yes.

8 **MR. AXELROD:** I just want to be -- I'm sorry to
9 interrupt Mr. Gasner. I do want to be sensitive to disclosure
10 of specific figures in the courtroom.

11 **THE COURT:** All right. Is there a way we can avoid
12 that at this time?

13 **MR. GASNER:** Yes, Your Honor. I can just talk about
14 the numbers.

15 **THE COURT:** Understanding from the jury's perspective
16 that obviously there's a difference of opinion about whether
17 the numbers or what aspects of this may or may not be secret --
18 or not secret but trade secret. So in deference to at least
19 the Government and DuPont's contention, we are attempting to
20 keep the actual numbers out of the public domain. That has no
21 impact on your verdict or your decision, but that's the
22 protocol we've used.

23 So is there a way that you can --

24 **MR. GASNER:** Yes.

25 **THE COURT:** -- be deferential to that?

1 **MR. GASNER:** And the jury can also see the number
2 themselves without the public hearing it.

3 **THE COURT:** Yes. It's perfectly okay for you to see
4 the numbers. We just don't want -- this is a public courtroom,
5 and we don't want the numbers broadcast out to the public here.

6 **MR. AXELROD:** Thank you, Your Honor.

7 **THE COURT:** And, Mr. Gasner, would this be a good time
8 for a break while you get ready?

9 **MR. GASNER:** Absolutely.

10 **THE COURT:** All right. Ladies and gentlemen, we're
11 going to take our second and last break. Keep in mind the
12 Court's usual admonitions. Keep an open mind. Don't discuss
13 the case or get any information. And I'll see you in 15
14 minutes.

15 (Proceedings were heard out of the presence of the jury:)

16 **THE COURT:** All right. You can step down.

17 May I ask you how long -- how much more you have on direct
18 estimated?

19 **MR. GASNER:** Definitely through the end of today.

20 **THE COURT:** Okay.

21 **MR. GASNER:** And then several hours tomorrow.

22 **THE COURT:** Okay. All right. Thank you. 15 minutes.

23 (Recess taken at 11:41 a.m.)

24 (Proceedings resumed at 12:02 p.m.)

25 (Proceedings were heard out of the presence of the jury:)

1 **THE COURT:** All right. Let's bring the jury in,
2 please.

3 (Proceedings were heard in the presence of the jury:)

4 **THE COURT:** Please be seated.

5 You may continue.

6 **MR. GASNER:** Thank you, Your Honor.

7 **Q.** Do you have Exhibit 78 in front of you, Mr. Cooper?

8 **A.** Yes, I do.

9 **Q.** And there is a spot about halfway down that says "Brick
10 Inside Diameter." Do you see that?

11 **A.** I do.

12 **Q.** And there's a number next to it; correct?

13 **A.** Correct.

14 **Q.** And below that it says "Normal Velocity" and then there's
15 a number next to that. Do you see that?

16 **A.** Yes, I do.

17 **Q.** Did you do some research to determine whether those
18 figures are publicly available?

19 **A.** Yes, I did.

20 **Q.** Let me show you what's been marked as 3171.

21 **MR. GASNER:** May I approach, Your Honor?

22 **THE COURT:** Yes, you may.

23 **BY MR. GASNER:**

24 **Q.** Do you recognize this document?

25 **A.** Yes, I do.

1 Q. What is it?

2 A. It's a patent by DuPont dated 1995 regarding chlorinating
3 titanium ores.

4 Q. Did you rely upon this patent in responding to the
5 Government's allegations about Exhibit 78?

6 A. Yes, I did.

7 MR. GASNER: Move the admission of 3171, Your Honor.

8 MR. AXELROD: No objection, Your Honor.

9 THE COURT: Admitted.

10 (Trial Exhibit 3171 received in evidence)

11 BY MR. GASNER:

12 Q. So let's take a look at the name of this patent. This is
13 one called "Fluidized Bed Process for Chlorinating
14 Titanium-Containing Material and Coke Useful in Such Process."
15 Do you see that?

16 A. Yes, I do.

17 Q. And this was issued back in 1995?

18 A. That's correct.

19 Q. Let's go to Column 4, lines 30 through 35.

20 And the patent disclosure talks about the chlorinator
21 reactor operated at a temperature of 1,000 to 1500 degrees C, a
22 superficial gas velocity of about 0.8 feet per second. Then it
23 goes on from there. Do you see that?

24 A. That's correct.

25 Q. Okay. Can you tell the members of the jury what you

1 determined based on comparing the language in the patent to the
2 normal velocity that is in Exhibit 78?

3 **A.** Those are the same numbers subject to the normal
4 mathematical rounding that we do.

5 **Q.** Now, tell us, what is chlorinator velocity?

6 **A.** It is as the gases enter the chlorinator, you need a
7 certain velocity to keep the particles bubbling away so they
8 will react. Typically, it's called the fluidizing velocity.
9 There are actually many ways of calculating it, but....

10 **Q.** Is it possible to go from normal velocity to the inside
11 diameter of a chlorinator?

12 **A.** Yes, and I've done it many times.

13 **Q.** How do you do it, without mentioning particular numbers?
14 But tell the members of the jury how you go about taking that
15 number that's disclosed in the patent and going to an inside
16 diameter of a chlorinator.

17 **A.** From the process flow diagram you know what the flow rates
18 are to the chlorinator. It's, actually, one of the numbers
19 there. You can add them up and calculate the volume of gas
20 that you require. That comes out as cubic feet per minute.

21 You very simply divide the cubic feet per minute by this
22 superficial velocity, and you come out with the area of the
23 chlorinator. And then it's simple mathematics: πR^2
24 equals the area. So you just calculate the radius and then the
25 diameter of the chlorinator.

1 Q. Do you have an opinion as to whether the mathematical
2 process you described is readily ascertainable by an engineer?

3 A. Oh, yes. Absolutely.

4 Q. What's your opinion?

5 A. I've done it eight times. It's very simple and very
6 straightforward.

7 Q. And what's your opinion about whether others in your
8 profession could readily ascertain that number?

9 A. Yes, it's one of the basic calculations you do in
10 designing a chlorinator.

11 Q. Let me show you another patent. That last one we looked
12 at was to DuPont.

13 MR. GASNER: If I might approach with Exhibit 1439,
14 Your Honor?

15 THE COURT: Yes, you may.

16 THE CLERK: I'm sorry. The number, Counsel?

17 MR. GASNER: 1439.

18 THE CLERK: Thank you.

19 MR. GASNER: You're welcome.

20 Q. What is this, Mr. Cooper?

21 A. This is another patent issued to the Canadian Liquid Air
22 Company published in 1989.

23 Q. What is the Canadian Liquid Air Company?

24 A. I believe it was part of their products at one time. It
25 has no TiO₂ plants.

1 Q. This is a patent --

2 A. It was getting into the business and doing some research.

3 Q. This is a patent that relates to TiO₂ pigment
4 manufacturing?

5 A. Oh, yes, chloride route TiO₂ pigment manufacture.

6 Q. Did you rely upon this patent in reaching your
7 conclusions?

8 A. Yes, I did.

9 MR. GASNER: Your Honor, move the admission of 1439.

10 MR. AXELROD: No objection, Your Honor.

11 THE COURT: Admitted.

12 (Trial Exhibit 1439 received in evidence)

13 BY MR. GASNER:

14 Q. So let's take a look at this patent. And, again, this is
15 to Canadian Liquid Air. So this is not a DuPont company;
16 right?

17 A. That's correct.

18 Q. And this one was issued in 1989; right?

19 A. Yes.

20 Q. Let's go to Column 1, line 34, 35, and 36.

21 And can you tell us, what's your opinion about what's
22 disclosed in this patent with respect to gas velocity in the
23 chlorinator compared to Exhibit 78 that is the email from
24 Mr. Maegerle that the Government talked about earlier in the
25 case?

1 **A.** As I said, they are the same numbers subject to
2 mathematical rounding, which we do.

3 **Q.** Let's talk about another one of the Government's emails
4 that Mr. Axelrod went over earlier in the case.

5 **MR. GASNER:** And may I approach with Exhibit 87
6 previously admitted, Your Honor?

7 **THE COURT:** Yes.

8 **BY MR. GASNER:**

9 **Q.** Sir, is that back in there?

10 **A.** Yes.

11 **Q.** Great. Keep them in there. That will be great. Thank
12 you.

13 Have you reviewed Exhibit 87?

14 **A.** I have.

15 **Q.** And let's take a look at page 2, if we could. Do you
16 recognize that as a sketch that Mr. Maegerle did?

17 **A.** Yes, I do.

18 **Q.** And how, in your experience, does that sketch compare to
19 chlorinators that you've seen in your practice?

20 **A.** All chlorinators are brick lined. The difference with
21 this one is that the dome is not lined, and that is not
22 particularly common.

23 **Q.** Have you seen that anywhere, including DuPont plants?

24 **A.** Yes. There are probably two or three chlorinators that's
25 designed like this.

1 Q. Which plants are those?

2 A. Stallingborough in the UK.

3 Q. That's the one that you used to work at?

4 A. That's correct.

5 Q. But this is different than DuPont plants as you've come to
6 understand them?

7 A. That is correct.

8 Q. And how about the shape and other aspects of this
9 chlorinator compared to the many that you've seen or designed
10 yourself?

11 A. These are very typical of all the chlorinators, including
12 the ones I designed.

13 Q. Let's take a look at the next page, the sketch, another
14 one that Mr. Maegerle did for the 100K reactor. What is this?

15 A. This is a spray condenser. It comes immediately after the
16 chlorinator.

17 Q. And how does this sketch compare to other spray condensers
18 you've seen in your experience at all the plants you've looked
19 at in your research?

20 A. It is very similar to some -- a number of companies have
21 removed this device, but they started off with it. There are
22 still some in existence with the typical -- with this type of
23 device. A lot were removed. And it is typical of a spray
24 dryer. It's not a -- it's used in very similar duties in other
25 industries.

1 Q. Is there anything in the spray condenser design that you
2 think has not been publicly disclosed prior to July 12, 2009?

3 A. No. It's all been publicly disclosed.

4 Q. And how about the calculations and their dimensions?
5 Anything there that has not been either publicly disclosed or
6 is readily ascertainable, in your view?

7 A. No. In general, it's pretty standard.

8 Q. Let's take a look at the next page.
9 What's this? This one's a little-harder to decipher.

10 A. This is -- sorry.

11 If you're looking down on the top of the plant from, you
12 know, from an airplane, what you would see at the top of the
13 page is actually the chlorinator, and then above that is the
14 spray condenser. So looking down this is what you'd see.

15 Q. And is there anything in this sketch that strikes you as
16 not having been publicly disclosed prior to July 12 of '09 or
17 that couldn't be readily ascertained?

18 A. No. It's very similar to a lot of plants that we have.

19 (Pause in proceedings.)

20 MR. GASNER: Okay. Continuing on our discussion of
21 the chlorinator, I'd like to approach with Exhibit 85
22 previously admitted, Your Honor.

23 THE COURT: Yes.

24 BY MR. GASNER:

25 Q. And if we could turn to -- just for the record, this is an

1 email from Mr. Maegerle to Mr. Liew dated in July of 2009 where
2 Bob says, "Attached is my preliminary chlorinator design
3 information," and he goes on from there.

4 Do you see that?

5 **A.** Yes, I do.

6 **Q.** And let's go to the second page, Mr. Guevara, if we might.

7 Have you reviewed this document as part of your opinions?

8 **A.** Yes, I have.

9 **Q.** Okay. So let's just walk through this.

10 It says Kuan Yin. Do you see that?

11 **A.** Yes, I do.

12 **Q.** And is there anything unusual about having a

13 two-chlorinator plant in your experience?

14 **A.** No, there is not. It's almost universally done, if not
15 more chlorinators.

16 **Q.** How about at Ashtabula, how many, Line 1, how many
17 chlorinators do they have?

18 **A.** They have two.

19 **Q.** And if we continue along, the next couple of lines talk
20 about capacity. Let's not avoid -- let's avoid calling these
21 numbers out, but do you have that in front of you?

22 **A.** I do.

23 **Q.** Can you tell the members of the jury about the public
24 nature of capacity information in the industry?

25 **A.** There are a number of companies who specialize in

1 gathering information regarding TiO₂, and one of the numbers
2 we're all interested in is how much any plant is making. These
3 companies are well known. TZMI, IBMA, SRI, Articol (phonetic)
4 in the UK all publish this sort of data.

5 Q. And is that something that competitors keep track of with
6 each other using those publicly available sources?

7 A. Oh, yes, and others.

8 Q. Let's go on. There's some figures in the next line for ID
9 brick. Do you see that?

10 A. Yes, I do.

11 Q. And that's the -- you talked about that earlier, how you
12 could calculate that, right, from the --

13 A. Yes.

14 Q. -- the publicly available chlorinator velocity?

15 And how about the dimension for the steel? Do you see
16 where it says that?

17 A. Yeah. That's very simple. Once you've calculated the
18 inside diameter, ID, of the chlorinator, you put bricks on the
19 inside. The bricks are standard 30-and-a-half-inch long. So
20 it's two 13-and-a-half inches is 2-foot 3. So you have 2-foot
21 3 to 16-foot, and you end up with 18-foot 3. It's not rocket
22 science.

23 Q. So let's go down to the next line. It talks about --

24 **MR. AXELROD:** Your Honor, I apologize to Mr. Gasner.

25 I just want to be careful about the figures being put in

1 the record, and ask that that not be put on the record.

2 **THE COURT:** Yes, please. As much as possible, would
3 you avoid that, please, sir?

4 **THE WITNESS:** Thank you, Your Honor.

5 **MR. GASNER:** I'll try -- if it's okay to lead a little
6 bit, Your Honor, I'll try to do that.

7 **THE COURT:** Yes. That's okay.

8 **MR. GASNER:** Okay.

9 **Q.** So then there's some information in the next couple of
10 lines about brick and mortar. Do you see that?

11 **A.** Yes, I do.

12 **Q.** Tell us about how do people in the TiO₂ industry go about
13 getting the ceramic bricks that line these chlorinators? Can
14 you tell us about that?

15 **A.** There are a few companies who specifically make these
16 types of refractory brick. They're not house bricks, but they
17 are special refractories. Three or four maybe. You approach
18 them. You ask them, "What would you use for this service?"
19 Generally, they will tell you if it's not already been
20 published.

21 Generally, then what you do is maybe run a few trials to
22 make sure it's okay, and then you buy them.

23 The bricking design is usually done -- the refractory
24 design is usually done by the refractory company. They're the
25 experts. These refractories go into steel kilns, coke kilns,

1 all sorts of other industries. So they really are the experts.

2 **Q.** How about the mortar? Anything special about the mortar?

3 **A.** No, I don't recognize it. As I said to you, it looks
4 almost like a homemade mortar. Normally you would buy the
5 mortar from the people who make the bricks.

6 **Q.** Okay. And a little further down there's mention of a
7 system for new beds. So without kind of talking about that
8 specifically on the record, are you familiar with that kind of
9 system?

10 **A.** Yes, I am.

11 **Q.** Tell us about that in general terms.

12 **A.** When you first start up a chlorinator brand new, you can
13 heat it up by going directly -- the gases go directly to the
14 air. There's been, in my personal experience, a number of
15 fines issued because it's very black smoke.

16 So a number of companies have had to install what we
17 call -- what I call startup scrubbers -- here it says startup
18 blow system -- to remove this horrible black smoke, and it is
19 visible for miles.

20 **Q.** And then a little bit below that, without getting into the
21 figures, there's a part that says "Air Equivalent Flow" and
22 there's a number there. Did you determine whether that number
23 is publicly disclosed or not?

24 **A.** Yes, it is. It's just how much oxygen and nitrogen is
25 equivalent to air.

1 Q. A little further down there's mention of a certain
2 structure in the transfer line. Do you see that?

3 A. Yes, I do.

4 Q. Is that something publicly disclosed in your opinion?

5 A. Yeah. That's in the patents.

6 Q. Further on there's a diameter for the transfer line. Do
7 you see that?

8 A. Yes. That's also in the patents.

9 Q. And --

10 A. And it's calculable from the velocity.

11 Q. So if you just have the velocity from the patent that we
12 looked at earlier, you can readily calculate this?

13 A. From the process flow diagram and that velocity, you
14 calculate the diameter.

15 Q. Let's go a little further down.

16 There was some focus earlier in the case about this jet
17 piping spacing. Do you see that? There's a bunch of figures
18 there --

19 A. Yes, I do.

20 Q. -- and all of that.

21 MR. GASNER: And if I can approach with Exhibit 86,
22 Your Honor, previously admitted.

23 THE COURT: Yes, you may.

24 BY MR. GASNER:

25 Q. So if you go to the third page of Exhibit 86, do you have

1 that in front of you?

2 **A.** Yes, I do.

3 **Q.** And do you see the spacing configuration that's there?

4 **A.** Yes, I do.

5 **Q.** Do you have an opinion as to whether that spacing has been
6 publicly disclosed?

7 **A.** Yes, it has.

8 **Q.** Where?

9 **A.** One, a photograph I found from Benicia Fabrication, which
10 actually shows a photograph of the chlorinator. Benicia is
11 located close to Antioch.

12 And the second one is when we opened the Australia -- West
13 Australian plant, we handed everybody a brochure which actually
14 shows the bottom of the chlorinator showing all these pipes and
15 risers and manifolds.

16 **MR. GASNER:** May I approach with Exhibit 3222,
17 Your Honor?

18 **THE COURT:** Yes, you may.

19 **BY MR. GASNER:**

20 **Q.** What is 3222?

21 **A.** This is the official opening brochure that was handed out
22 to all visitors for the official opening of the Australian
23 plant, which I designed.

24 **Q.** And it talks about SCM Western Australia on the front. Do
25 you see that?

1 A. Yes.

2 Q. And does this document relate to your opinions about
3 chlorinator public information?

4 A. Yes. This is my document.

5 Q. Was this the plant that you worked at and helped build for
6 many years?

7 A. Yes. I designed this one.

8 MR. GASNER: Your Honor, I'd move the admission of
9 3222.

10 MR. AXELROD: Objection.

11 THE COURT: Sustained.

12 BY MR. GASNER:

13 Q. Can you tell the members of the jury what about this
14 particular document relates to your opinions about chlorinator
15 spacing?

16 A. There is a page in the document which shows a photograph
17 of the bottom of the chlorinator, the base we call it, which
18 shows the actual piping, including the riser layout; and it's
19 very easy to go from that to calculate what the riser spacing
20 would be.

21 MR. GASNER: Your Honor, I would seek the admission of
22 just that page that shows the chlorinator spacing.

23 MR. AXELROD: Same objection, Your Honor.

24 THE COURT: Sustained.

25

1 **BY MR. GASNER:**

2 **Q.** Is the document you have in front of you, was that
3 publicly distributed?

4 **A.** It was, indeed, to all the people who were at the opening.

5 **MR. HEMANN:** Mr. Gasner, what page were you referring
6 to?

7 **MR. GASNER:** The pages aren't numbered, but it's that
8 one (indicating).

9 (Pause in proceedings.)

10 **MR. GASNER:** I'd like to approach, Your Honor, with
11 Exhibit 1819 previously admitted.

12 **THE COURT:** Yes.

13 **BY MR. GASNER:**

14 **Q.** Did you review Exhibit 1819 as part of your opinions?

15 **A.** Yes, I did.

16 **Q.** And you were talking earlier about that the refractory
17 brick manufacturers are the experts in the field in terms of
18 how to install this refractory brick?

19 **A.** Yes, they are.

20 **Q.** How does this document, if at all, relate to your
21 opinions?

22 **A.** This specifies the materials; the brick sizing that they
23 wanted to use; the brick type, which is the -- gives you the
24 specification of the bricks and how the chemicals pass through
25 it. And it also says, basically, they will supply field

1 supervision at such and such a rate for the installation of the
2 brick.

3 **Q.** Now, there are also companies that provide engineering
4 services in this kind of thermal design?

5 **A.** There are.

6 **MR. GASNER:** Your Honor, may I approach with
7 Exhibit 1726 previously admitted?

8 **THE COURT:** Yes.

9 **BY MR. GASNER:**

10 **Q.** Have you reviewed this photograph in connection with your
11 opinions?

12 **A.** Yes, I have.

13 **Q.** What is it?

14 **A.** It's the bottom of a chlorinator showing the riser pipes.

15 **Q.** Do you believe that it's readily ascertainable from this
16 photograph how to space the chlorinator jets?

17 **A.** Yes. It's very straightforward.

18 **Q.** Can you tell the members of the jury how an engineer would
19 go about doing that?

20 **A.** From this drawing, purely you know what the standard
21 trailer size is that goes on the roads. That will tell you the
22 diameter of the chlorinator. And from that, you measure up the
23 distance spacing between the various riser pipes, and you can
24 just measure it.

25 **Q.** In the course of your preparation for your report and your

1 testimony, did you have occasion to go on the Benicia Fab
2 website?

3 **A.** I did.

4 **Q.** Did you determine whether or not this picture is available
5 on Benicia Fab's website?

6 **A.** It is, and it's showing this chlorinator.

7 **Q.** Let me ask you a few questions about nitrogen flow to the
8 chlorinator. That was a topic of some email discussion earlier
9 in the case.

10 **MR. GASNER:** May I approach with Exhibit 126,
11 Your Honor?

12 **THE COURT:** Yes, you may.

13 **BY MR. GASNER:**

14 **Q.** Did you review this email as part of your studies,
15 Mr. Cooper?

16 **A.** Yes, I did.

17 **Q.** So this has been previously admitted, and perhaps we can
18 display it, Mr. Guevara.

19 So this is from Mr. Maegerle to Allen Chang, cc to Walter
20 Liew, "Nitrogen Flow to Chlorinator." Do you have that in
21 front of you?

22 **A.** Yes, I do.

23 **Q.** And there was some discussion about that number of pounds
24 per hour. So without repeating it, as the Government has asked
25 that we not do, did you look into whether that number is

1 publicly disclosed?

2 **A.** It is disclosed. The piece of equipment is a standard
3 piece of equipment purchased from a company called
4 Fuller-Kinyon. They tell you how much nitrogen they will need
5 to, basically, blow the ore and coke mixture into the
6 chlorinator, and it was actually specified in the quotation
7 from Fuller-Kinyon.

8 **MR. GASNER:** May I approach with Exhibit 1813,
9 Your Honor?

10 **THE COURT:** Yes.

11 **BY MR. GASNER:**

12 **Q.** Is that the Fuller-Kinyon document you just referred to?

13 **A.** It is.

14 **Q.** Can you explain to the jury what about this document
15 discloses the information that was on the email from
16 Mr. Maegerle that we just were talking about?

17 **A.** Yes. This is a complete design for this piece of
18 equipment. And going -- I believe page 5 it actually tells you
19 how much nitrogen is required for conveying. It says so many
20 SCFM at such and such a pressure.

21 **Q.** So let's pull the camera back a little bit and put this in
22 laymen's terms.

23 On that email from Mr. Maegerle to Mr. Chang, just without
24 getting into the numbers, what are they talking about?

25 **A.** They're talking about a very early design, and he's made

1 an estimate of what flow will be required. He says, "I would
2 assume this number would be adequate."

3 You then -- as you progress through the detail design and
4 you actually buy the equipment, you refine that number. And
5 the final refining of the number comes when you actually buy
6 the equipment and they tell you what to use.

7 **Q.** So we're still in the chlorinator part of the plant;
8 right?

9 **A.** Yes.

10 **Q.** And this is nitrogen getting blown from where to where?

11 **A.** It's blowing ore and coke from the ore and coke handling
12 system directly into the chlorinator.

13 **Q.** And if I understand you correctly, the equipment that does
14 that, you buy from companies like FK Pumps?

15 **A.** Yeah, there's a couple of well-known manufacturers who do
16 it.

17 **Q.** And can you explain to the jury what's the connection
18 between buying that piece of equipment and the nitrogen flow
19 that the Government has pointed to as part of their case?
20 What's the connection?

21 **A.** You put out a request for quotation, which says you need
22 to move so much ore and coke mixture. This goes to a company.
23 In this case there's an agent. This company's the agent for
24 Fuller-Kinyon.

25 They come back with saying, "This is what we are

1 requiring" -- sorry. Let's go back a step.

2 They are telling you what we would like -- prefer to
3 supply to you for this duty. They tell you that. In other
4 words, they will tell you the materials of construction. They
5 will tell you the size. They will tell you the horsepower.
6 They give you all the details. One of those details happens to
7 be the amount of nitrogen you require -- their system requires
8 to blow the ore and coke into the chlorinator.

9 Q. Did you look at what USAPTI actually did in designing and
10 ordering this piece of equipment?

11 A. Yeah. They put out a request for quotation, and then they
12 got it back. They went to two companies, and two companies
13 quoted, and got a document back just like this.

14 Q. If I understand you correctly, the nitrogen flow that is
15 in the document they got back from the vendor compares to the
16 nitrogen flow figures in the Maegerle email?

17 A. Yes, it does.

18 Q. So can you tell the members of the jury, how does it
19 compare?

20 A. It doesn't.

21 Q. So what does that tell you --

22 A. What happened --

23 Q. -- as an expert?

24 A. What I'm sure happened is --

25 **THE COURT:** Sir, would you wait until he finishes his

1 question. Thank you very much.

2 **THE WITNESS:** I'm sorry, Your Honor.

3 **BY MR. GASNER:**

4 **Q.** What does that tell you as an expert? If you can put that
5 into laymen's terms for the members of the jury.

6 **A.** Basically, that Fuller-Kinyon knows more about their job
7 than we do, and they told them, "This is what you really need."

8 **Q.** Let me show you what we've marked as 2326.

9 **MR. GASNER:** May I approach, Your Honor?

10 **THE COURT:** Yes, you may.

11 **BY MR. GASNER:**

12 **Q.** What is this, Mr. Cooper?

13 **A.** This is a DuPont patent showing how they feed their
14 chlorinators. It was issued in 1994.

15 **Q.** Does this patent relate to your opinions about this
16 nitrogen flow email that we've been talking about?

17 **A.** It does.

18 **MR. GASNER:** Your Honor, move the admission of 2326.

19 **MR. AXELROD:** No objection, Your Honor.

20 **THE COURT:** It's admitted.

21 (Trial Exhibit 2326 received in evidence)

22 **BY MR. GASNER:**

23 **Q.** So this is from a fellow named Eastham; is that right?

24 **A.** That's correct.

25 **Q.** And the title is "Solids Feed System and Method for

1 Feeding Fluidized Beds"; is that right?

2 A. That's correct.

3 Q. So in laymen's terms, we're still talking about how to get
4 coke and ore into the chlorinator?

5 A. That is correct.

6 Q. Let's go to Column 7, line 59 through 65.

7 And this is a DuPont patent; is it not?

8 A. It is.

9 Q. And in the patent it discloses use a Fuller-Kinyon pump;
10 true?

11 A. That is correct.

12 Q. So tell the members of the jury, kind of connecting this
13 up, what is this patent? In connection with the earlier
14 document you looked at, what does that tell you about the
15 public availability of nitrogen flow information to a
16 chlorinator?

17 A. All the design details are in this patent. They changed
18 the system slightly in that they put a brick tank in, otherwise
19 it is identical.

20 Q. And, also, just looking at the patent, you would know to
21 go to Fuller-Kinyon?

22 A. Yes, I would.

23 Q. And is that a big secret, Fuller-Kinyon?

24 A. No, it is not.

25 Q. What kind of company are they?

1 **A.** They are a materials handling company. They specialize in
2 this type of equipment.

3 **MR. GASNER:** So let's go ahead and display 1813
4 previously admitted, if I may, Your Honor.

5 **THE COURT:** Yes.

6 **MR. GASNER:** And, Mr. Guevara, if you could go to --

7 **Q.** What is FLSmidth?

8 **A.** They are agents for Fuller-Kinyon.

9 **Q.** And then if we go to page 5 of the document, Mr. Guevara,
10 if you don't mind.

11 Down at the bottom there it says, "Nitrogen Required for
12 Conveying." Do you see that?

13 **A.** Yes, I do.

14 **Q.** Can you tell the members of the jury what those numbers
15 mean?

16 **A.** Those are the nitrogen flows that we've been talking
17 about. These are those numbers expressed as a volume rather
18 than a weight.

19 **Q.** And how does this number compare to what was in the
20 Maegerle email?

21 **A.** It doesn't. It's different.

22 **Q.** So is it fair to say that whatever Mr. Maegerle said back
23 in the email, that USAPTI followed the advice of Fuller-Kinyon?

24 **A.** That is correct.

25 **Q.** Let me ask you about the fluidization air requirement.

1 This is another one of Mr. Axelrod's emails. This time Number
2 82.

3 (Pause in proceedings.)

4 **MR. GASNER:** I seem not to have the original.

5 **THE CLERK:** It will be in the box over there.

6 **MR. GASNER:** Can you pull 82, the original for me,
7 please?

8 (Pause in proceedings.)

9 **MR. GASNER:** Ms. Ottolini, have we admitted 3171?

10 **THE CLERK:** 3171?

11 **MR. GASNER:** May I approach, Your Honor?

12 **THE COURT:** Yes.

13 **THE CLERK:** Yes.

14 **MR. GASNER:** It's already admitted?

15 **THE CLERK:** Yes. Today.

16 **MR. GASNER:** Okay. So, yeah, I think he's got it up
17 there. Thank you.

18 **THE CLERK:** He does.

19 **BY MR. GASNER:**

20 **Q.** Are you looking at Exhibit 82, Mr. Cooper?

21 **A.** Yes, I am.

22 **Q.** And let's put it up on the screen.

23 Another email from Mr. Maegerle to Mr. Liew.

24 And if we go down -- a little further down where it
25 says -- a little further down still, please. Let's just look

1 at the bottom of the email string.

2 So here Mr. Liew is saying to Mr. Maegerle, cc to
3 Mr. Patel, that Pangang had sent a calculation of fluidization
4 air at the chlorinator. Do you see that?

5 **A.** Yes, I see that.

6 **Q.** Tell us, what is fluidization air?

7 **A.** When you're starting up or shutting down a chlorinator and
8 you're not using chlorine, you're required to keep the bed
9 fluidized. To do that, you use a mixture, sometimes of oxygen
10 and nitrogen, sometimes air. So it's called fluidization air
11 to keep the chlorinator fluidized so you can cool it down.

12 **Q.** And the email suggests that Pangang sent their own
13 calculations over; right?

14 **A.** That's correct.

15 **Q.** Is that unusual in the TiO₂ industry?

16 **A.** No. It's a very simple calculation.

17 **Q.** But in terms of the process of dealing with a customer,
18 can you tell us a little bit about the interplay between
19 engineers typically in this industry and the front-end
20 engineering firm?

21 **A.** There is a definition of what the engineering firm is
22 required to supply as part of its contract. What is called the
23 ISBL, inside battery limits, that is what the engineering
24 contractor is required to supply. There is another term, which
25 is OSBL, which is outside battery limits, that the client is

1 required to supply.

2 In this particular case, from what I've been able to
3 review, the supply of air was going to be supplied by the
4 client.

5 Q. Okay. And is that unusual to have the client doing some
6 things?

7 A. No. It's very usual.

8 Q. So this is a dialogue about Pangang's calculations; true?

9 A. That is correct.

10 Q. And then a little bit further up Mr. Maegerle weighs in
11 with a bunch of figures that he's recommending; true?

12 A. That is correct.

13 Q. And, again, without getting into any specifics here, he's
14 got a flow rate in feet per second. Do you see that?

15 A. I do.

16 Q. And that was the subject of your earlier testimony. That
17 particular flow rate, again without talking about what it is,
18 you can figure -- that's in the DuPont patents; true?

19 A. That is correct.

20 Q. And from that figure, you can figure out the rest of
21 what's in Mr. Maegerle's email; is that true?

22 A. Yes.

23 Q. All right. So let's move a little further down the plant.
24 We talked about, earlier in the case, about tail gas scrubbing.

25 Did you read testimony about that?

1 A. Yes, I did.

2 Q. Can you tell us, what is tail gas?

3 A. These are the gases that are produced in the chlorinator
4 mainly due to the combustion of carbon and oxygen. There's
5 also gases coming back from the oxidation unit, such as
6 nitrogen and HCL, which are formed as part of their product.
7 These gases ultimately are going to end up in the atmosphere.

8 Q. So this is what goes up the smokestack?

9 A. That is correct.

10 Q. So set the stage for us a little bit. Are there
11 regulations in this whole area?

12 A. They keep changing but, yes, there are.

13 Q. So Clean Air Act, things like that?

14 A. Title V, the Clean Air Act, is the one we always cite.

15 Q. Is this an area of design that is unique to TiO₂ or is
16 this part of design of many plants?

17 A. No. EPA Title V of the Clean Air Act.

18 MR. GASNER: May I approach with Exhibit 91 previously
19 admitted?

20 THE COURT: Yes.

21 BY MR. GASNER:

22 Q. This is one of the emails that Mr. Axelrod went over with
23 Mr. Dayton and others. Have you had a chance to review this?

24 A. Yes, I have.

25 Q. And it talks about tail gas stack velocities; true?

1 A. Correct.

2 Q. What does that mean?

3 A. The gases that you're putting up the stack are -- actually
4 can be quite noxious, not very nice. So in order to get them
5 to disperse into the atmosphere, you have to get a certain
6 velocity out of the stack so they're pushed upwards under all
7 wind conditions, that they don't come down to the ground again.

8 Q. Did you form any opinions about whether the information in
9 this email about tail gas stack velocities has been publicly
10 disclosed or is readily ascertainable?

11 A. Yes. There are a number of public documents telling you,
12 basically, how to calculate it.

13 MR. GASNER: May I approach, Your Honor, with
14 Exhibit 3484?

15 THE COURT: Yes.

16 BY MR. GASNER:

17 Q. What is this, Mr. Cooper?

18 A. Oh, this is the OSHA manual. OSHA is the Occupational
19 Safety Health Administration of the U.S. Government, and this
20 is one of their advisory documents which tells you basically
21 how to design a stack.

22 Q. Is this a publicly available document?

23 A. Yes, it is.

24 Q. Did you find it in your research?

25 A. Yes, I did.

1 Q. Do you use this document in your consulting activities?

2 A. Yes, and others.

3 MR. GASNER: Your Honor, I move the admission of 3484.

4 MR. AXELROD: Objection.

5 THE COURT: Sustained.

6 BY MR. GASNER:

7 Q. Can you tell us, Mr. Cooper, how this document relates to
8 your opinions?

9 A. It gives you a number of guidances on how to design the
10 stack. One of the guidances is, it has to be a certain height
11 above the nearest building, for instance, so that doesn't
12 affect it.

13 But one of the other guidances he tells you to use, I
14 believe this one is 1.5 times the wind speed to prevent
15 downwash. And downwash is where the gases come back down
16 again.

17 Q. So tell us, how does the subject matter of Mr. Maegerle's
18 email on tail gas, how does that relate to wind velocity?

19 A. In the email, he gives a particular exit velocity from the
20 stack. When you calculate out what that means, it -- because
21 he quoted in feet per second, wind speeds are miles per hour,
22 so when you do 1.5 times miles per hour and convert it to feet
23 per second, you get this answer.

24 Q. So if you go from the OSHA requirements based on wind
25 speed, you end up in the same place?

1 **A.** You do.

2 **Q.** Is that a complicated calculation?

3 **A.** No.

4 **Q.** Let me ask you about another email that the Government...
5 I think this one's the same, so we can skip over that.

6 **MR. GASNER:** Ms. Agnolucci, can you give me a hand
7 with Exhibit Number 91, please? I don't seem to have it here.

8 **MS. AGNOLUCCI:** It's missing from here.

9 **MR. GASNER:** Ah ha. Okay. Thank you.

10 (Pause in proceedings.)

11 **MR. GASNER:** No luck?

12 (Pause in proceedings.)

13 **BY MR. GASNER:**

14 **Q.** Do you have Exhibit 91 with you, Mr. Cooper?

15 **A.** (Witness examines documents.) 91 you say?

16 **Q.** Yes.

17 **A.** (Witness examines documents.) Yes.

18 **Q.** Fantastic.

19 **MR. GASNER:** So this document previously admitted, may
20 we display it, Your Honor?

21 **THE COURT:** Yes, you may.

22 **BY MR. GASNER:**

23 **Q.** This deals with fume disposal systems. And could you look
24 at this email and determine whether there was anything that
25 wasn't nonpublic on it?

1 A. In fact, there are three systems on this email.

2 Q. Okay. Perhaps you can give us your analysis of this
3 email.

4 A. The first at the top of the page 2 drawing is what we
5 discussed as the tail gas system.

6 Bottom left you have some fume escapes from the process,
7 so you have a maintenance vacuum system which draws these fumes
8 and scrubs them.

9 And the third one is, actually, in a process upset on the
10 chlorinator. You can get chlorine passing through the process,
11 and this is the chlorine scrubber, I believe.

12 The chlorine scrubber system going reverse order, that's
13 in many documents, including the Chlorine Institute, the World
14 Chlorine Council, and others. It's standard. You must have
15 one, actually.

16 The maintenance vacuum system, there's nothing special
17 about that whatsoever. In fact, looking at this particular
18 drawing, it looks to be a piece of equipment that's all very
19 similar to one that DuPont's selling to the public. You can go
20 and buy one because we actually ran this system in our
21 Baltimore plant.

22 The top system is somewhat different because it has a
23 four-stage scrubbing system, which is not totally typical of
24 most plants. It's -- four stages is one stage more than I'm
25 used to designing, let me put it that way. But that's what

1 this drawing represents.

2 Q. Okay. You said a lot there.

3 Let's go to the email, if we could, Mr. Guevara, at the
4 beginning of this document, and let's blow up the first --
5 well, let's just grab the first sentence, if we might.

6 And it talks about DeLisle and Jinzhou, and then it talks
7 about Antioch/Ashtabula. Do you have that in front of you?

8 A. Yes, I do.

9 Q. Okay. And what Mr. Maegerle is saying here is that the
10 attached sketch shows DeLisle Line 2; right?

11 A. Correct.

12 Q. But if I understand you correctly, that sketch has nothing
13 that hasn't already been disclosed?

14 A. That is correct.

15 Q. And it says it's different than Jinzhou. Do you see that?

16 A. Yes, I do.

17 Q. Do you agree?

18 A. Yes, it is different. I've seen the Jinzhou.

19 Q. Based on your brief visit to Jinzhou, what's the
20 difference?

21 A. No. From the drawings I saw, the Jinzhou scheme is very
22 simple. This is referring to the Jinzhou design, I believe, by
23 Performance Group, and it's very different to this.

24 Q. Mr. Maegerle goes on to talk about Antioch/Ashtabula
25 technology. Do you see that?

1 A. Yes, I do.

2 Q. Can you tell the members of the jury what's the
3 relationship between Antioch and Ashtabula?

4 A. Ashtabula 1, Line 1 specifically, was a direct copy of
5 Antioch.

6 Q. Okay.

7 A. And --

8 Q. So Ashtabula 1 was the plant DuPont built for
9 Sherwin-Williams; is that --

10 A. That is correct.

11 Q. And that design was Antioch as of that time?

12 A. That is correct.

13 Q. Okay. And what was the Antioch/Ashtabula fume disposal
14 technology based on; your long history with those plants?

15 A. Very simple, a couple of stages. Not the three or four
16 stages we see today. I replaced it.

17 Q. You mentioned that this four-stage, three-stage business.

18 A. Yes.

19 Q. What did USAPTI end up doing for fume disposal for 30K and
20 100K plants?

21 A. For the 30K plant, the drawings I saw showed a two-stage
22 system. For the 100K, they showed a four-stage system with two
23 chlorine scrubbers. So they had a process chlorine scrubber
24 and an emergency chlorine scrubber.

25 Q. Are there patents in this area?

1 A. Relating to the tail gas, yes. Relating to the scrubbers
2 themselves, not much because they tend to be standard pieces of
3 equipment. You calculate them from textbooks, so you buy them
4 from suppliers.

5 Q. You mentioned that DuPont actually sells scrubbers?

6 A. Yes.

7 Q. What kind of scrubbers are those?

8 A. They're called DynaWaves.

9 Q. What kind of technology do those use?

10 A. Those are reverse jet with a disengagement tower after
11 them, slightly different to the drawings that are shown here in
12 that the specific thing about them is they -- the scrubbing
13 liquid is injected against the flow of gases. This one it
14 tends to show it into the flow of gases. Ashtabula 2 used
15 DynaWaves.

16 Q. And is that an off-the-shelf system --

17 A. Yes.

18 Q. -- that you can buy from DuPont?

19 A. Yes, it is.

20 MR. GASNER: May I approach, Your Honor?

21 THE COURT: Yes. Which exhibit are you showing him?

22 MR. GASNER: 1247.

23 THE COURT: Yes.

24 BY MR. GASNER:

25 Q. What is 1247?

1 **A.** This is the Web printout of the DuPont DynaWave scrubber.

2 **Q.** Is that something that you looked up on the Internet?

3 **A.** Yes, it is.

4 **Q.** And does it disclose details of how that works?

5 **A.** Yes. There's a flow diagram on the bottom of page 1.

6 **MR. GASNER:** May I approach, Your Honor, with
7 Exhibit 2287?

8 **THE COURT:** Yes, you may.

9 **THE CLERK:** Sorry. The number?

10 **MR. GASNER:** 2287.

11 **Q.** There was some discussion in the prior email about the
12 cooling tower. Do you recall that?

13 **A.** Yes. Yes.

14 **Q.** And can you tell us, what's a cooling tower?

15 **A.** I'm sorry. Which exhibit are you referring to?

16 **Q.** I think it's in 91. I may have....

17 (Pause in proceedings.)

18 **MR. GASNER:** I may have this patent out of order. May
19 I retrieve it, Your Honor?

20 **THE COURT:** Yes, you may.

21 (Pause in proceedings.)

22 **MR. GASNER:** Okay. So let's go back to 2615, if we
23 might, Mr. Guevara.

24 **Q.** And let's back up a little bit. We've talked about the
25 chlorinator; and then the structure that looks like a spinning

1 top, the spray condenser; and we've now been through the next
2 sections, which are the gas scrubbing and fume disposal.

3 **A.** The next section is condensation.

4 **Q.** Have you seen any allegations in the testimony to date
5 about the condensation part of the system?

6 **A.** No, I haven't.

7 **Q.** So let's keep going.

8 The smokestacks are around the gas scrubbing and fume
9 disposal area. We just talked about those.

10 **A.** Correct.

11 **Q.** So are we now done with chlorination?

12 **A.** We are done with chlorination as such. We haven't
13 finished with the TiCl plant yet.

14 **Q.** Okay. What else do we have to discuss on the TiCl plant?

15 **A.** The purification system.

16 **Q.** Okay. Did you figure out anything with respect to the
17 purification system that has been alleged in the trial, or are
18 you referring back to earlier phases of your --

19 **A.** I was referring back to earlier phases of my work.

20 **Q.** I want to limit our discussion to what the Government has
21 alleged at the trial. So are we done with the TiCl plant as
22 far as you're concerned?

23 **A.** Yes, we are.

24 **THE COURT:** Let's take a break --

25 **MR. GASNER:** Yes. Absolutely.

1 **THE COURT:** -- a stretch break.

2 (Pause in proceedings.)

3 **THE COURT:** All right. Please be seated.

4 You may continue.

5 **MR. GASNER:** Thank you, Your Honor.

6 **Q.** In terms of the allegations by the Government that you saw
7 in all the transcripts of the trial to date, did you find
8 anything in the TiCl plant, as designed in both the 30K and
9 100K plants, that you felt was not publicly disclosed or
10 readily ascertainable?

11 **A.** No. I found everything in the public that I could work
12 out myself quite easily.

13 **Q.** Let's move, then, to the oxidation reactor and the
14 oxidation part of the plant.

15 And I'd like to focus on the time frame a little bit. 30K
16 project, the drawings started about 2006; is that --

17 **A.** That's what I saw from the files, yes.

18 **Q.** And you visited Jinzhou in 2005?

19 **A.** That is correct.

20 **Q.** Did you have an opportunity to observe the oxidation plant
21 at that time?

22 **A.** Yes, I did.

23 **Q.** And did you review all the drawings that were done by
24 Performance Group in designing the oxidation reactor for the
25 improved Jinzhou plant?

1 A. Yes, I did.

2 Q. And how did the improved plant compare to what they had
3 before?

4 A. It was very, very similar.

5 Q. Were you able to ascertain any innovations added to the
6 oxidation reactor in the 30K plant that weren't publicly
7 disclosed or readily ascertainable?

8 A. No.

9 Q. I want to talk a little bit about some of the emails about
10 the oxidation reactor that the Government has talked about.

11 MR. GASNER: May I approach with Exhibit 100,
12 Your Honor, previously admitted?

13 THE COURT: Yes, you may.

14 MR. GASNER: May we display it, Your Honor?

15 THE COURT: Yes, you may.

16 BY MR. GASNER:

17 Q. So to get oriented, another email from Mr. Maegerle to
18 Mr. Liew in 2009, and it talks about certain metrics that we're
19 not going to repeat about the purge wall insert. Do you see
20 that?

21 A. Yes, I do.

22 Q. What are we talking about here?

23 A. The reactor is composed of a -- the oxidation reactor is
24 composed of a number of components. The front part of the
25 reactor is normally called the insert, quite why I'm not sure

1 but it is.

2 **Q.** Okay. So let's, Mr. Guevara, if we could go to our 3D
3 model for oxidation, 2617 previously admitted.

4 **MR. GASNER:** May we display it, Your Honor?

5 **THE COURT:** Yes.

6 **BY MR. GASNER:**

7 **Q.** Okay. So get us oriented here a little bit on this kind
8 of -- we've spent much of the day talking about the TiCl plant.
9 Now we're moving to the second part of all TiO₂ factories.
10 This time the oxidation plant; true?

11 **A.** That is correct.

12 **Q.** All right. Walk us through briefly, if you would, how
13 this 3D model of the 30K design worked.

14 **A.** Okay. As I explained earlier on, TiCl liquid is pumped
15 into the process and it's heated. This piece of equipment here
16 is the TiCl₄ vaporizer where the TiCl₄ is heated.

17 **Q.** This is the one that looks like the beer bottle on the
18 right?

19 **A.** That is correct.

20 **Q.** Okay. Or wine bottle, as the case may be.

21 And what's that again?

22 **A.** That is a TiCl₄ vaporizer.

23 **Q.** What does that do?

24 **A.** It boils the TiCl₄ and heats it up to 450 degrees C.

25 **Q.** Where does that go?

1 A. That goes across here (indicating) into the Al chloride
2 generator, this piece of equipment (indicating).

3 Q. Which one is that?

4 A. Sorry. I'm getting to it. I put a horrible cross over
5 it.

6 Q. Okay.

7 A. That is the Al chloride generator (indicating).

8 Q. And then where is the oxidation reactor that we've been
9 talking about?

10 A. Right. Those gases that we've just produced go down into
11 the oxidation reactor here (indicating).

12 Q. And, so, basically there's heated up oxygen and heated up
13 TiCl that go into the oxidation reactor; is that the way it
14 works?

15 A. Yes.

16 Q. Okay.

17 A. The smaller wine bottle on the left-hand side is the
18 oxygen heater.

19 Q. Okay. In terms of the TiCl heater and the oxygen heater,
20 what can you tell us about how Performance Group designed those
21 pieces of equipment from your review of the records?

22 A. They don't design them.

23 Q. How do they end up with all these drawings?

24 A. They are a standard piece of equipment that you go to a
25 supplier and buy.

1 Q. Okay.

2 A. They are very common in the petrochem industry. The TiO₂
3 chloride route uses relatively a small number of those types of
4 devices. So you would go to a supplier, and they would give
5 you all the information you require.

6 Q. Okay. And this insert, the O₂ insert that was the subject
7 of Exhibit 100, where is that?

8 A. That's at the front of the oxidation reactor.

9 Q. All right. And this particular view doesn't show any
10 piping. We're just looking at the vessels; right?

11 A. That's correct.

12 MR. GASNER: Okay. Mr. Guevara, can I challenge your
13 3D skills? Can you add the piping or is that asking too much?

14 MR. GUEVARA: I believe I can do it.

15 (Pause in proceedings.)

16 MR. GUEVARA: Actually --

17 MR. GASNER: Is that harder than --

18 MR. GUEVARA: This version does not contain piping.

19 MR. GASNER: All right. Thank you for trying.

20 Q. So perhaps you can just verbally say, Mr. Cooper, the
21 front -- let's zoom in a little bit, Mr. Guevara, if you don't
22 mind -- and the front of the reactor is closer to the flue
23 pond?

24 A. That's correct.

25 Q. Okay.

1 **A.** The gases leave the front of the reactor through the
2 insert and then go directly into the flue pond.

3 **Q.** All right. So now that we know where the insert is, let's
4 go back to Exhibit 100, the email.

5 Okay. And it talks about certain metrics to the purge
6 wall insert. What are we talking about here now that we know a
7 little bit about where we are in the oxidation plant?

8 **A.** So you started mixing hot oxygen and hot $TiCl_4$. They start
9 reacting immediately; and because of the very high
10 temperatures, they tend to stick to the walls of the reactor,
11 the insert.

12 So this particular design has holes in the wall through
13 which you pass gases, which keeps the walls from building up
14 titanium dioxide. All reactors have this problem. Some sort
15 it out a different way, but this is one of the ways it is done.

16 **Q.** And is this way of doing it, the purge wall insert, is
17 that a way that's been publicly disclosed in the past?

18 **A.** Yes, it is.

19 **Q.** Where in your research or knowledge do you believe that's
20 been publicly disclosed?

21 **A.** There are a number of DuPont patents that tell you that
22 it's got holes in it and other details.

23 **Q.** And what about the particular figures here, again without
24 repeating them, are those publicly disclosed or readily
25 ascertainable?

1 **A.** They are readily ascertainable. I believe some are
2 actually mentioned in the patents. This is very early
3 relatively in the detail design, and I believe these numbers
4 changed. Here he says they're using Cl2, which is chlorine.
5 Later designs that I saw, in fact, only used nitrogen for this
6 service.

7 **MR. GASNER:** Your Honor, may I approach with
8 Exhibit 2299?

9 **THE COURT:** Yes.

10 **BY MR. GASNER:**

11 **Q.** Is this a patent that you relied upon in reaching your
12 opinions, Mr. Cooper?

13 **A.** Yes, it is.

14 **Q.** Does it relate to the topic we've been discussing on email
15 Exhibit 100?

16 **A.** Yes, it does.

17 **MR. GASNER:** Move the admission of 2299, Your Honor.

18 **MR. AXELROD:** No objection, Your Honor.

19 **THE COURT:** Admitted.

20 (Trial Exhibit 2299 received in evidence)

21 **BY MR. GASNER:**

22 **Q.** So let's take a look at the title. DuPont patent;
23 correct?

24 **A.** That is correct.

25 **Q.** Issued back in 1974; true?

1 A. That is correct.

2 Q. Okay. And this one talks about "Production of Anatase
3 TiO₂ by the Chloride Process." So we're talking about chloride
4 route?

5 A. That is correct.

6 Q. And let's go to Column 5 at line 54 and a few lines below
7 that.

8 Okay. "Immediately downstream of the juncture," it goes
9 on, "dry chlorine gas as a coolant passes into the product
10 stream through a series of holes provided in the sidewalls of
11 the reactor." Do you see that?

12 A. Yes, I do.

13 Q. Tell us how, if at all, that disclosure in the patent
14 relates to your opinions about the email 100?

15 A. That describes the reactor insert precisely.

16 Q. And then if we go to Column 6, lines 14 through 16, what
17 does that tell us about the various figures that we saw in
18 Exhibit 100?

19 A. It actually tells you how much chlorine you have to put in
20 through the holes, so many kilograms per minute.

21 Q. Let me ask you to take a look at previously admitted
22 Exhibit 119.

23 MR. GASNER: If I might approach, Your Honor.

24 THE COURT: Yes.

25

1 **BY MR. GASNER:**

2 **Q.** This is another email that the Government relied on in its
3 case in chief and was on their summary, and it is again from
4 Mr. Maegerle --

5 **A.** I see that.

6 **Q.** -- to Mr. Liew. This time in 2010. And it talks about
7 the specified Kuan Yin purge rate to the oxidation reactor
8 perforated wall, and then it goes on to give some numbers
9 there. Do you see that?

10 **A.** Yes, I do.

11 **Q.** Have those numbers been publicly disclosed?

12 **A.** Yes, they have.

13 **Q.** In that patent we just looked at or elsewhere?

14 **A.** Yes, in that patent and elsewhere.

15 (Pause in proceedings.)

16 **MR. GASNER:** May I approach with previously admitted
17 Exhibit 3507, Your Honor?

18 **THE COURT:** Yes.

19 **BY MR. GASNER:**

20 **Q.** What is this exhibit?

21 **A.** These are the process flow diagrams for the 30K,
22 Revision E, which is quite later in the project.

23 **Q.** And are we now in the oxidation part of the plant?

24 **A.** These relate to the oxidation and finishing parts of the
25 plant.

1 Q. Okay. So similar structure in terms of the revisions and
2 all of that?

3 A. Yes, indeed.

4 Q. So if we look at the first page of 3507, there's a part
5 that talks about the TiCl superheater vendor package. Do you
6 see that?

7 A. I do.

8 Q. Is this what you were talking about before that the TiCl
9 oxygen heaters are just bought off the shelf from elsewhere;
10 true?

11 A. That is correct.

12 Q. All right. Where in this is the reactor design?

13 A. On the next page, PFD-102.

14 Q. If we could turn to that, that would be great,
15 Mr. Guevara. Thank you.

16 Okay. So this shows the reactor vessel that Performance
17 Group designed with help from Mr. Maegerle?

18 A. That is correct.

19 Q. And I believe you said earlier you've studied this design?

20 A. Yes, I have.

21 Q. Is there anything in the design of this reactor that you
22 believe was not already publicly disclosed or readily
23 ascertainable?

24 A. That is correct.

25 Q. Perhaps if you can just put it affirmatively.

1 A. It is either in the public or it's readily ascertainable.

2 Q. Okay. And let's go down, if we could, to the lower
3 left-hand corner.

4 What is that part of the process flows for the reaction
5 area or the oxidation area?

6 A. These are just -- it's a table of all the flows,
7 temperatures, and pressures specifically relating to the
8 subject we're talking about, line 426.

9 Q. What does that tell us?

10 A. That, in fact, as I stated earlier, USAPTI changed the
11 design and, in fact, specify only nitrogen to be used, not
12 chlorine to be used; and they specify the flow rate as so many
13 kilograms per hour in line 426.

14 Q. Okay. Can you put that in laymen's terms for us? What
15 conclusions do you draw from what you just said in laymen's
16 terms?

17 A. Basically, that USAPTI changed the original design that
18 Mr. Maegerle did. Instead of using chlorine, they used another
19 gas called nitrogen; and they calculated the flow rate as the
20 number here, kilograms per hour.

21 Q. So let's talk a little bit about that generally.

22 You saw lots of sketches from Mr. Maegerle; did you not?

23 A. Yes, I did.

24 Q. And lots of emails between him --

25 A. Yes.

1 Q. -- and Mr. Liew and others; true?

2 A. True.

3 Q. And then there were all these different pieces of the
4 engineering process?

5 A. That is correct.

6 Q. Did you see changes in between the initial sketches and
7 emails and later designs?

8 A. Oh, very much so. It's typical.

9 Q. Tell the members of the jury, as you looked through these
10 piles of stuff, tell them what you found in that regard.

11 A. It was a normal progression in any major chemical design.
12 You start with a set of numbers, which, frankly, sometimes are
13 guesses. Then as you get more and more detail design, you get
14 more and more information from vendors, you revise all these
15 drawings. And that's what I saw as a normal progression of any
16 project.

17 Q. Was that true on both the 30K and 100K projects?

18 A. Yes, it was.

19 Q. Did you find any piece of information conveyed from
20 Mr. Maegerle that you considered to be something that was not
21 either publicly available or readily ascertainable?

22 A. No. I found it all publicly available or easily got.

23 **THE COURT:** Mr. Gasner, would this be a good time to
24 adjourn?

25 **MR. GASNER:** Yes, it would be, Your Honor.

1 **THE COURT:** All right. You may step down.

2 **THE WITNESS:** Thank you very much.

3 **THE COURT:** And if you'd like to leave the courtroom,
4 you may, because I'm going to instruct the jury.

5 (Pause in proceedings.)

6 **THE COURT:** All right. So we've locked the doors just
7 for the brief period I can give you your final instruction that
8 I give you every afternoon when we break.

9 First, keep an open mind throughout the trial and do not
10 decide what the verdict should be until you and your fellow
11 jurors have completed your deliberations at the end of the
12 case.

13 Second, because you must decide this case based only on
14 the evidence received in the case and on my instructions as to
15 the law that applies, you must not be exposed to any other
16 information about the case or to the issues it involves during
17 the course of your jury duty.

18 Thus, until the end of the case, or unless I tell you
19 otherwise, do not communicate with anyone in any way and do not
20 let anyone else communicate with you in any way about the
21 merits of the case or anything to do with it.

22 This includes discussing the case in person, in writing,
23 by phone, Smartphone, or electronic means, via email, text
24 messaging, or in or on any Internet chat room, blog, website,
25 including such social networking media like Facebook, Myspace,

1 LinkedIn, YouTube, and Twitter, or other feature.

2 This applies to communicating with your fellow jurors
3 until I give you the case for deliberation; and it applies to
4 communicating with everyone else, including your family
5 members, your employer, the media or press, and the people
6 involved in the trial, although you may notify your family and
7 your employer that you are continuing to sit as a juror in this
8 case.

9 But if you're asked or approached in any way about your
10 jury service or anything about this case, you must respond that
11 you have been ordered not to discuss the matter and to report
12 the contact to the Court.

13 Because you will receive all the evidence and legal
14 instruction you properly may consider to return a verdict, do
15 not read, watch, or listen to any news or media accounts or
16 commentary about the case or anything to do with it.

17 Do not do any research, such as consulting dictionaries,
18 searching the Internet, or using other reference materials; and
19 do not make any investigation or in any other way try to learn
20 about the case on your own.

21 The law requires these restrictions to ensure the parties
22 have a fair trial based on the same evidence that each party
23 has had an opportunity to address.

24 A juror who violates these restrictions jeopardizes the
25 fairness of these proceedings and a mistrial could result that

1 would require the entire trial process to start over.

2 If any juror is exposed to any outside information, please
3 notify the Court immediately.

4 So two final things, ladies and gentlemen, before we
5 break.

6 The first is that, I believe, we're still on schedule to
7 get this case to you next week. We'll have closing arguments
8 sometime next week, and then jury instructions, and then you'll
9 begin your deliberations.

10 On a housekeeping note, you sent us a note about noon
11 today saying, quote, "More caffeinated coffee (don't need much
12 decaf)."

13 (Laughter)

14 **THE COURT:** We won't say who wrote the note, but
15 Ms. Ottolini has emailed the request to the refreshment
16 providers, so we'll have more caffeinated coffee for you
17 tomorrow, I'm pretty assured.

18 So have a wonderful evening, and we'll see you tomorrow
19 morning same time.

20 (Proceedings were heard out of the presence of the jury:)

21 **THE COURT:** You may unlock the door. Thank you, sir.

22 I assume the note was not a commentary on the
23 scintillatingness, if you will, of all the testimony.

24 (Laughter)

25 **MR. GASNER:** I wouldn't blame them if it was,

1 Your Honor.

2 **THE COURT:** Okay. But, anyway, so about how much more
3 do you think you have for tomorrow?

4 **MR. GASNER:** I'd say probably three hours.

5 **THE COURT:** Three hours.

6 And do you have any sense of cross at this point?

7 **MR. AXELROD:** I don't, Your Honor. I mean, it's going
8 to take, you know, probably a couple hours, but we'll have to
9 just see -- we'll have to see where we are. I'm guessing a
10 couple hours of cross.

11 **THE COURT:** All right. And then you've got your
12 paralegal who's going to put in some documents?

13 **MR. GASNER:** Yes.

14 **THE COURT:** All right. Okay. We'll see where it
15 takes us.

16 Sort of the two-alternative scheduling possibilities,
17 depending upon when all the testimony is completed, would be
18 either sometime after we finish after a break on Thursday; or
19 the default would be, and I hope we won't go beyond Thursday
20 with testimony, would be Friday morning to have a charging
21 conference so that we'd be ready to go on Tuesday with
22 instructions and final arguments.

23 And you all can think more about how you'd like to
24 configure the closing argument based upon what we talked about
25 before, but we'll get to that when we get to that.

1 So it sounds like we'll be at least going through
2 tomorrow, Wednesday, with the testimony and maybe possibly into
3 Thursday; but, hopefully, we'll have time to do the charging
4 conference before the Court has its afternoon calendar, which I
5 need to be start preparing about 1:30. So that will be our
6 point.

7 And that will determine -- the amount of time we have left
8 will determine whether we do it Thursday or Friday morning.

9 All right. Any matters from the Government's perspective?

10 **MR. HEMANN:** Yes, Your Honor.

11 We'd like to renew our request for reverse Jencks material
12 for Mr. Cooper. I did some research. I didn't find anything
13 addressing experts. The law is pretty clear that a statement
14 includes final statements only and does not include drafts.

15 We noticed from looking at Mr. Cooper's bills that there
16 are a number of entries for reports and spreadsheets, and
17 things like that, that were produced during the time he was
18 working for the Defense that don't appear, from the face of the
19 reports, to be lead-up to the final drafts or drafts of what
20 became his final report, but different distinct documents.

21 We'd make that request. Obviously, the law says that the
22 request is not ripe until after the witness has testified, but
23 the law also then says that the time may be given by the Court
24 in its discretion to review any statements.

25 So we've done some research. We think that the plain

1 language of the rule would apply to the extent these are not
2 drafts of what becomes the final report. And we think given
3 the nature of Mr. Cooper's testimony, and in particular some of
4 the conclusory statements he's made about everything in X being
5 publicly available or readily ascertainable, that these sort of
6 statements may be relevant to impeachment at a minimum.

7 **THE COURT:** Mr. Gasner?

8 **MR. GASNER:** Yes, Your Honor.

9 I mean, this came up awhile ago and Mr. Hemann, the Court
10 said, needed to come up with -- the burden was on him. And I
11 thought he had abandoned this because, you know, coming up
12 right now to parse through everything would be incredibly
13 burdensome, and I don't think he's met his burden.

14 I do think that the reverse Jencks Act definition of
15 "statement" would preclude this, because if he's looking for
16 emails or, you know, things of that nature, I'm glad that he's
17 gotten off drafts, but now he's gone back to what's even worse,
18 which are, you know, emails.

19 And the statement has to be one that the witness makes and
20 signs or otherwise adopts or approves, or a substantially
21 verbatim contemporaneously reported recital of an oral
22 statement or statement to a Grand Jury.

23 And as we pointed out before, Rule 16 provides for expert
24 disclosure. So the idea that in the guise of reverse Jencks,
25 now we have to, in the middle of his testimony, go back and

1 find, you know, all these subsidiary statements and the like,
2 it's going to delay the case.

3 I don't think Mr. Hemann's met his burden as the Court
4 asked him to do many days ago, or we would have -- you know, I
5 think he's springing this on us. And what I hear him saying is
6 that they're -- he's found no law to meet his burden.

7 And we think the plain meaning of the rule, together with
8 the discovery provisions of the Federal Rules of Criminal
9 Procedure, provide for very limited discovery of expert -- on
10 experts, and that this would really turn reverse Jencks on its
11 head.

12 **THE COURT:** Well -- yes, go ahead.

13 **MR. HEMANN:** Very briefly, Your Honor.

14 I'm being candid with the Court about not having found any
15 cases. I haven't found any cases one way or the other, and I'm
16 relying on both the plain language of the rule; and in terms of
17 springing, again, the time to make this request formally is at
18 the close of the witness' direct testimony. We're not
19 attempting to spring because that would be, perhaps, springing.

20 We're also not talking about emails again. There are
21 things called "DuPont Expert Comment Spreadsheet." That's not
22 what was ultimately provided to us. There's something called
23 "Bill of Particulars Draft Report," and we never got a Bill of
24 Particulars report. And, so, these appear to me to be reports
25 that were prepared by Mr. Cooper at the request of the Defense

1 that did not end up being part of his final report.

2 As to the definition, I mean, I can't imagine something
3 more adopted than something that somebody writes.

4 **THE COURT:** There is a sufficient -- not sufficient --
5 I don't know whether it's sufficient, but there is a body of
6 law that may be analogous that actually came up in the Court's
7 last trial, which had to do with 302s and FBI statements.
8 Generally speaking, the notes of the FBI agents are not
9 considered Jencks unless they become substantially a statement
10 of the witness.

11 And, so, I think -- I mean, I don't want to prolong this
12 trial any more than necessary; but to the extent -- if there is
13 written material that went between the expert and the Defense
14 team that is not subsumed in the final report, because I think
15 the remedy is the final report, and that is the case, then I
16 think it needs to be produced at a minimum, I think.

17 Because what we're going to get into is -- and I would
18 give the Government wide latitude to cross-examine this witness
19 on the full extent of his writings because there's no privilege
20 here. And also we have 612 to contend with as well. To the
21 extent that the witness has relied on or reviewed or refreshed
22 his memory with any writings, I'm going to order them produced
23 to the Government.

24 So you may -- the question is, it's sort of like the Fram
25 oil filter guy, "Pay me now or pay me later." I think these

1 documents are going to have to be produced in a timely fashion,
2 unless you can show the Court, and I'll look at them in camera
3 if you wish, that they were subsumed into his report because I
4 think that is the spirit if not the letter of Jencks.

5 I'll get to you, Mr. Froelich.

6 **MR. FROELICH:** Thank you.

7 **THE COURT:** If he sent you and said, "Here is my, you
8 know, report on, you know -- in response to the Bill of
9 Particulars request," or whatever, and that's not in his
10 report, I think that's fair game.

11 So you can either produce it to the Government or give it
12 to the Court in camera, and I'll look at it; but I think one
13 way or the other it's going to get produced because with an
14 80-page report and \$200,000 worth of work, I think where
15 there's smoke there's fire here. So we'd like to see some of
16 the fire turned over to the Government.

17 So that's the Court's order. I want it produced and I
18 want it produced by tomorrow morning at 8:00 o'clock.

19 **MR. HEMANN:** Thank you, Your Honor.

20 **THE COURT:** And that's the Court's order.

21 **MR. GASNER:** We would like it reviewed by the Court in
22 camera because I do think that all of this is subsumed in the
23 report.

24 **THE COURT:** All right. Then I want it by 7:00 o'clock
25 tomorrow morning. I want all of his writings.

1 This is what I do when FBI agents testify. I routinely do
2 this. And I want to see what this guy has written for \$200,000
3 because if 80 pages is 200,000, then I think it tells me that
4 there's more.

5 So I'm not saying I'm going to produce any of it, but I
6 won't know until I see it, and I won't know finally until I
7 hear the cross-examination. Because it may very well be that
8 it's proper cross-examination to ask questions about whether
9 any of the things he's now saying, and I don't know, I haven't
10 seen anything other than the report, is the proper subject of
11 probing cross-examination. That's why we have -- that's why
12 all the cases interpreting *Daubert* encourage vigorous
13 cross-examination.

14 So that's going to be -- so I want it by 7:00 o'clock
15 tomorrow. I will review it. I will let you make your record,
16 and I will decide whether I'm going to produce it. And part of
17 it's going to depend upon the remainder of his direct
18 examination and possibly even the cross-examination.

19 So that's the Court's order.

20 Yes, Mr. Froelich? I didn't want to cut you off.

21 **MR. FROELICH:** No, Your Honor, I was just -- what I
22 wanted to say, Your Honor, was one of the things is, and it
23 goes back to the motions I made, and I hate to distract the
24 Court and go to another thing; but, you know, I got limited
25 when the agent, who I see is here, Pattillo I think it was, I

1 gave her an exhibit and she said that she had reviewed it, that
2 they were the notes, and that's what she was relying on and
3 used to refresh her recollection and prepare for testimony.

4 And I asked --

5 **THE COURT:** I don't think that was -- no, no. I
6 listened very carefully. I happen to be an expert on 612 and,
7 also, as I'm sure Ms. Agnolucci is aware, the Rule in Queen
8 Caroline's Case that has to do with -- that goes back into
9 Anglo-Saxon times that has to do with refreshing recollection,
10 showing a witness her notes; and you didn't make the proper
11 foundation. So I was very careful.

12 And the Government may not be able to lay that foundation
13 with this witness, but it's a different -- you know, you were
14 in the ballpark, but maybe in the wrong section of the
15 ballpark.

16 **MR. FROELICH:** But she did, Your Honor. She said that
17 she used them, that's what she did to refresh her recollection.
18 Not only refresh her recollection, that was the basis of her
19 testimony, that she had taken -- she had taken the 302 and read
20 it before she testified, and that's what she used to testify
21 from; that she took no notes, and that the 302 was prepared by
22 the agent, and she read the 302 to prepare for her testimony.

23 We don't have to argue it now. I just want to say.

24 **THE COURT:** It's in the record. Whatever the Court
25 did, it did, and it's in the transcript and it's preserved for

1 appeal.

2 So that's what I want to be done.

3 **MR. HEMANN:** Thank you, Your Honor.

4 **THE COURT:** And I don't expect, you know, a blanket,
5 you know, data dump of every document this gentleman wrote; but
6 to the extent that there is information that's in writing that
7 was not subsumed within his report.

8 Because then the Government has the report. The
9 Government can certainly probe the report to see what else is
10 below the surface. But those are the kind of documents I want.

11 I don't want -- I'm not ordering the Defense to produce
12 every single piece of paper that this witness wrote or -- and
13 especially I don't want anything that's considered privileged
14 or work product. In other words, if it's something that was
15 circulated with the client and it was intended to be privilege,
16 there may very well be a waiver, but I'm looking at something
17 that's a little bit more limited than that.

18 But, again, this is a preliminary cut from the Court's
19 perspective, and the Court will have to await the remainder of
20 the direct and the cross-examination with respect to these
21 documents and whether any of them is producible.

22 But I think the Government has made a sufficient showing.
23 And this witness by his own testimony, there are things in his
24 testimony that I don't recall from his report. So, you know,
25 we'll see.

1 Anything further?

2 **MR. GASNER:** Your Honor, in terms of logistics, shall
3 we just bring it into Ms. Ottolini first thing at 7:00 a.m.?

4 **THE COURT:** Yes. She'll get it to me. And do it
5 as -- it will be as a sealed document. It will be sealed so
6 you don't have to file it in the public record.

7 **MR. GASNER:** Very well.

8 The other question I have relates to the notebooks that we
9 discussed earlier. These are notebooks in Mr. Liew's
10 handwriting. That's been stipulated that they're in his
11 handwriting. There's a lot of overlap between patent numbers
12 that he wrote down and both things that are in Mr. Cooper's
13 report or otherwise talked about.

14 This is really central to our defense because our theory
15 of the defense is that Mr. Liew did a lot of patent research,
16 reached his own conclusions about what was generally publicly
17 available; and, you know, didn't enter into a conspiracy to
18 steal trade secrets but, rather, formed a good faith impression
19 that there was a huge amount of disclosure out there.

20 So I think that a notebook in his own handwriting that
21 lists lots of patents is highly relevant and critical to our
22 defense.

23 **THE COURT:** But is every page relevant or is just the
24 list of patents relevant?

25 **MR. GASNER:** I would say that the list -- no, not

1 every page. I mean, I would say that every page that has lists
2 of patents relating to titanium dioxide would be relevant
3 because he doesn't know what the contentions of DuPont are
4 going to be down the line. So the rule can't be that it's just
5 a trade secret killer, just those particular patents are the
6 only ones that are relevant.

7 **THE COURT:** Let me hear from Mr. Hemann.

8 **MR. HEMANN:** I think there's a lack of foundation for
9 this, Your Honor. Because, first of all, nobody knows when
10 these lists were generated or how they were generated or why
11 they were generated; and that information is in apparently the
12 sole possession of Mr. Liew.

13 And to have a document like that handed to an expert and
14 saying, "This is a document that is all in Mr. Liew's
15 handwriting, please opine," it's certainly nothing that this
16 expert relies on in the normal course of his business, somebody
17 else's list of a time and a place and a motive unaware, you
18 know, that the expert doesn't have anything to do with.

19 You know, this is America. If Mr. Liew wants to get up
20 and explain what he did, he has the right to do that and be
21 subject to cross-examination; but cloaking with an expert
22 saying, "Well, Mr. Liew obviously evaluated this, this, this,
23 and this," which is what the implication of the testimony would
24 be, seems to be misleading.

25 **THE COURT:** All right. So the question -- the way I

1 would frame the issue is really authenticity. Authenticity as
2 defined by 901 is it is what the offeror purports it to be,
3 which would be notes made, you know, at a time when, relevant
4 time if you will, with respect to Mr. Liew's research.

5 So how are you going to prove it's authentic from that
6 perspective?

7 **MR. GASNER:** There is -- some of them are dated. So
8 this is also important to rebut the Government's assertion
9 through Yuping who took the stand and they showed her a single
10 set of patent lists and established that those were done in
11 response to the civil litigation.

12 So I think we need to rebut that because what's in the air
13 is this idea that this is kind of made up. And I think that we
14 can show that, through data documents, through notebooks that
15 circumstantially are obviously earlier than the litigation,
16 that they're in his handwriting. They were seized from his
17 office or not seized and provided to Keker & Van Nest, and
18 we've stipulated to that, that they were basically taken from
19 the sites of the seizures and are in his handwriting. We think
20 we can establish, both directly and circumstantially, that they
21 were done at earlier time frames.

22 **THE COURT:** All right. Well, I want -- go ahead.
23 I'll give you the last word.

24 **MR. HEMANN:** Very briefly, Your Honor.

25 That may -- all of that which Mr. Gasner has just said may

1 be true as to a particular document or a particular list. This
2 is not the witness who can establish any of that stuff.

3 **MR. GASNER:** So that ties into Ms. Hernandez or
4 Agent Ho, which is why we've listed them, because this is
5 extremely important to us and we would like three swings at it
6 to get it right.

7 What I would tend to do with Mr. Cooper is simply to have
8 him go through -- there are many, many patents that he relied
9 upon in his report. And he wrote his report first, found what
10 was relevant, and then he later looked at all the patents that
11 Mr. Liew had where it listed elsewhere, and he's got a chart
12 which shows which ones he found and which ones Mr. Liew had
13 already found.

14 And I tried to get these notebooks in before with the
15 agent, and the Court said no because there's no foundation and
16 not every page is going to be relevant. But what I think I can
17 show, with either one witness or two or three, is the patents
18 that Mr. Liew wrote down were written down before the civil
19 case, and they showed his work and his state of mind; and they
20 are relevant because they are in the same ballpark as many that
21 the expert has said are relevant, and I think that's vital to
22 our defense.

23 **MR. HEMANN:** I'll make two factual observations,
24 Your Honor. The only testimony that's in the record --

25 **THE COURT:** Everybody can sit down, by the way, in the

1 back. You don't have to -- I'm sorry. You don't have to be
2 standing. Thank you.

3 **MR. HEMANN:** The only testimony that's in the record
4 with regard to the collection of patents was from Mr. Marinak
5 and Yuping Jiao, both of whom testified that they located
6 patents and provided the list to Mr. Liew.

7 The problem that I think we have is that there's no
8 testimony -- there would be no testimony under Mr. Gasner's
9 theory as to how these patents were collected, when they were
10 collected, why they were collected, all of the things that then
11 the expert is going to say, "Well, Mr. Liew, according to
12 Mr. Gasner, Mr. Liew collected these patents. I collected this
13 list of patents," and he's going to compare them.

14 But whether under authenticity, which we agree with, or
15 foundation the expert can't say that Mr. Liew collected the
16 patents unless there's some evidence that Mr. Liew collected
17 the patents, which there isn't.

18 **MR. GASNER:** Let's set that one aside in terms of what
19 Mr. Cooper can talk about.

20 But I think that at a minimum, what we ought to be able to
21 establish, given that the notebooks were seized, they're in
22 Mr. Liew's handwriting, they have lists of patents that we can
23 show are relevant, they're not just random lists of junk, they
24 are right on point, that fact we're entitled to prove.

25 Now, Mr. Hemann and company can get up and say, "Not much

1 has been proved. These are just kind of writings that were
2 there. There's no proof about when they happened." But I'd
3 like to be able to show, no, some of these are in notebooks
4 that have dated materials that are pretty old and that -- you
5 know, just to argue the circumstantial evidence.

6 **THE COURT:** Well, let's cut this even finer. I don't
7 think it's appropriate for Mr. Cooper to be talking about the
8 notebooks because, again, that gives it some -- I don't think
9 he can lay a proper foundation.

10 If you want to put in -- let's assume, hypothetically,
11 that the Court were to admit all or part of this notebook, at
12 least the part that shows the patents that were listed.
13 Certainly patents are public records. You can put them in and
14 have -- you know, have, in closing argument, you know, compare,
15 you know, a claim here or a specification here with some note;
16 but I don't think it's appropriate for this expert to do that.
17 I think that's more a matter of argument.

18 And I don't know that, you know, he should be able to --
19 he can lay a foundation -- you can lay a foundation for
20 Mr. Cooper being able to say, "Oh, I looked at these notes and
21 here's a chart showing what purports to be Mr. Liew's notes and
22 the patents." You can do that in closing argument, but I don't
23 want to hear that out of this expert.

24 But I would say I would be inclined to admit some part of
25 these notebooks. I think it is central to the defense. It was

1 found seized by the Government. So if anything had been
2 fabricated, it would have to have been fabricated, arguably,
3 with knowledge of the civil suit or an upcoming criminal
4 investigation; but I think that really goes more to the weight
5 and not the admissibility of the evidence.

6 I think it is -- it's relevant. I think it does open the
7 argument, without getting into commentary on the defendants not
8 testifying, about, you know, there's absolutely no evidence in
9 the record to authenticate. I mean, that's a fair argument,
10 but I think it's an argument that the parties should be making
11 to the jury.

12 And to simply exclude this completely when the notes
13 appear to have been made, you know, before, you know, the
14 Indictment and maybe before there was an actual criminal case
15 is not -- I don't think that's fair -- that's due process at
16 this point.

17 So that would be my inclination, but I don't -- what I am
18 concerned about is admitting an entire notebook. I don't know
19 if some of it's in Chinese or a different language, but I think
20 we need to be circumspect about what goes on, and the parties
21 need to look at that.

22 **MR. HEMANN:** And, Your Honor, I mean, I get what the
23 Court's saying; and it sounds -- it's, in my view, appropriate.

24 One of the logistical problems we're dealing with is we've
25 got an ocean of about 300 exhibits right now on these lists,

1 some of which are long notebooks, and I won't even pretend to
2 have read all of them. But if we could get down to these are
3 the lists, this is the date on the -- you know, if we could get
4 to some narrowness on this, maybe we can come to at least a way
5 to get to an answer.

6 **THE COURT:** And that's my thought. If there's
7 material in there like lists of patents, I'm just making it up,
8 like other relevant information, then I would be inclined to
9 admit it.

10 But I think you all need to get together and just --
11 because what I don't want to happen is have a whole notebook --
12 not that the jury is going to look at every single page of
13 every exhibit, if they do, it's going to be a very long
14 deliberation -- but looking at a notebook and saying, "I don't
15 know what this means. Nothing was said about it, by counsel or
16 otherwise."

17 So that would be my thought, and not have Mr. Cooper talk
18 about it. You know, leave it out there with the patents.
19 Let's fine-tune it to that which goes to your defense, not just
20 random, you know, notes; and I'd be inclined to let that in.

21 **MR. GASNER:** Thank you, Your Honor.

22 The one --

23 **THE COURT:** However we do it, whether we do it by
24 stipulation --

25 **MR. GASNER:** Here's my proposal on how to do it --

1 **THE COURT:** Yes.

2 **MR. GASNER:** -- is that Mr. Cooper in his report lists
3 many patents. So if we could do a summary exhibit that simply
4 lists all those patents and admit that, because his report is
5 not going to be in, but I --

6 **THE COURT:** You mean -- when you say "the patents,"
7 which?

8 **MR. GASNER:** List the patents that are in his report
9 that he relied upon. Just a list of the patent numbers and
10 maybe title.

11 **THE COURT:** Well, okay.

12 **MR. GASNER:** Then there are five notebooks that are at
13 issue, so it's not hundreds of things. Mr. Hemann is -- we can
14 trim it way down. There are five notebooks.

15 And what I would propose to do is introduce the -- I mean,
16 my preference would be the pages that have patents on them
17 because what they are are -- they're really just lists of
18 patents, there's some sketches, and things of that nature, that
19 are on them, and to just introduce those pages.

20 And then to be able to argue that many of these patents
21 were also ones that the expert relied upon because, otherwise,
22 I've got to take the expert through a hundred patents to make
23 my point.

24 **THE COURT:** No. Go ahead, Mr. Hemann.

25 **MR. HEMANN:** I think, first of all, we'd object to a

1 summary chart. There's a time for a summary chart. We've
2 adhered to the rule that we can't do new summary charts; and
3 everybody knew about the deadline, which was admissible summary
4 charts. Demonstrative summary charts, we don't have any
5 objection to.

6 I think that the problem that, I guess, I keep coming back
7 to, Your Honor, is we ought to be able to have a person to whom
8 we can say, "You don't know when Mr. Liew wrote this. You
9 don't know how he wrote it. You don't know why he wrote it."
10 And we're entitled to have a human being say, "No. No. No."

11 **THE COURT:** Well, no. I think you can rely on the
12 record. I mean, I don't know that you have -- I mean, you're
13 kind of stuck in one way with -- it's not stuck, but you face a
14 defendant's constitutional right to remain silent.

15 **MR. HEMANN:** Indeed.

16 **THE COURT:** And the question is: Some records are
17 self-authenticating in a fashion. I don't mean, you know, to
18 say that these are -- everything that the defendants purport --
19 these books are what they purport to be, but I think there's
20 enough circumstantial evidence that they should be able to come
21 in.

22 And I would say, number one, in response to Mr. Hemann's
23 argument, I'm not going to allow a summary in evidence. If you
24 want to use a demonstrative summary, fine. That's fine. You
25 can do that any way. You can draw it on the board, so why not

1 have it be -- I'm sure your prepared chart is neater than what
2 you would draw on the board. It certainly would be what I draw
3 on the board. So I think in some fashion.

4 Obviously, there's going to be an argument that, you know,
5 you'll have to deal with in closing; and the Government will be
6 able to, you know, jump right in and say, "There's nothing in
7 the record that -- you know, you didn't hear" -- and, again,
8 I'm not telling the Government how to do a closing. You have
9 to be very careful about --

10 **MR. HEMANN:** Indeed.

11 **THE COURT:** -- what you say; but, you know, you two
12 attorneys are very experienced. But I think it's fair argument
13 to say, "In the record that we have, what is the evidence of
14 what this means? There's no evidence. There's no evidence of
15 this in the record."

16 And if you put it up on the board or, you know, you blow
17 it up and you look at it and it's meaningless on its face and
18 the jury finds that to be the case, then it will disregard it.
19 If the Defense position holds any weight, you know, then you'll
20 get whatever mileage out of that you can.

21 But I don't think it's appropriate for the Court to cut
22 off that entire area just because the defendant is electing not
23 to testify.

24 I think there's enough -- the Court has to determine
25 overall large due process. Smaller than that would be, really,

1 is there enough evidence that this was not fabricated, is there
2 some mileage that could be gotten out of this by a trier of
3 fact. I think the answer is yes. How much, I don't know.

4 But I don't think I could wholesale exclude it; but, on
5 the other hand, I think you all need to work together, and
6 especially initially the Defense, to really hone it down to
7 what -- to me the only relevance to these books are a listing
8 of the patents, maybe some -- something from which a jury could
9 find that some research was done to determine the
10 trade-secret-or-not nature of the other documents or the other
11 processes, and any contextual information or dates that would
12 go to support that.

13 And it seems to me that's really it, rather than just
14 throw it against, you know, the jury wall and hope that it
15 sticks.

16 **MR. GASNER:** We're prepared to do that.

17 **MR. HEMANN:** So that --

18 **MR. GASNER:** A question in terms of a sponsoring
19 witness.

20 I'm willing to do it with Mr. Cooper. I was going to take
21 three swings at it, one with Mr. Cooper. It sounds as though I
22 will be shot down if I try to get him to do anything other than
23 be a scribe.

24 We could also call Ms. Hernandez, our paralegal, who could
25 simply be up there to say, "You know, these are the documents."

1 And I suppose Mr. Hemann could cross-examine her in the same
2 way that I cross-examine agents, which is, you know, "You don't
3 know where this came from?"

4 **THE COURT:** That would be my preference because I
5 think, in fairness, and so as not to run afoul of I think it's
6 *Gilbert versus California* commenting on the defendants not
7 testifying, I think that's the case, then I think it would be
8 fair to require that somebody be put up there and, so, that the
9 negatives, in terms of, "You don't know this; you don't know
10 that; you haven't seen any evidence of such and such," then at
11 least the Government has something to argue, in fairness, that
12 doesn't impinge upon the defendant's right to remain silent.

13 I understand you don't like any of this.

14 **MR. HEMANN:** Indeed, Your Honor.

15 **THE COURT:** Does that sound like a feasible solution?

16 **MR. HEMANN:** That is a feasible solution. If we can
17 get down to, with these five notebooks, as the Court indicated,
18 the pages that reveal the patents and some evidence of date
19 that would satisfy the Court's authentication observation and
20 then have Ms. Hernandez testify as to the five, I think we'll
21 be good to go on that, Your Honor.

22 **THE COURT:** All right.

23 **MR. GASNER:** She will not talk about -- obviously, she
24 has been visiting Mr. Liew for a long time, and she's not going
25 to go there.

1 **THE COURT:** No, she's not going to go there; and she's
2 not going to -- also, there's not going to be any curve balls
3 the other way --

4 **MR. HEMANN:** Correct.

5 **THE COURT:** -- when the Government says, "You don't
6 know of anything," and she says, "Oh, no, I saw this other
7 document."

8 But I think you've got your work cut out for you,
9 Mr. Gasner, which is, to the extent you've made an offer of
10 proof that there is circumstantial evidence of when these
11 entries were made, maybe there's metadata, maybe there's
12 related emails, whatever, but you need to marshal that anyway.
13 And I think you need to discuss that with the Government and
14 come up with a suitable -- and if there's any dispute about a
15 particular entry, you know, I can certainly look at that.

16 **MR. GASNER:** So just to frame the issue, I think I
17 have my A material, my B material, and my C material. My A
18 material is anything with a date or metadata.

19 **THE COURT:** Correct.

20 **MR. GASNER:** My B material is by looking at the age of
21 the document, some of the stuff just looks really old; that by
22 circumstantial evidence I think I have enough to say it wasn't
23 fabricated, or it just doesn't look fabricated, or any number
24 of circumstantial pieces of evidence.

25 And then my C material, which I still think I'm entitled

1 to, is that this pre/post litigation distinction in my mind
2 doesn't really matter, and that this shows Mr. Liew getting
3 ready to vigorously defend the civil case, or that he was doing
4 work before. And that, you know, there's --

5 **THE COURT:** Well, the civil case is one thing; but if
6 it's after the Indictment, then clearly -- well, he was in jail
7 anyway.

8 **MR. GASNER:** That's true. So there's no --

9 **THE COURT:** So, yeah, I don't know that -- I think
10 your point is a fair one vis-a-vis the civil litigation.

11 And I have to say, you know, the Government has the
12 ability, as the Defense does, if they wanted to test these
13 documents for age and ink, I've tried cases where, as a
14 prosecutor, where we were able to get some real mileage out of
15 dating the ink, but the Government hasn't done that. And we're
16 talking about some time, you know, years and years ago.

17 So I'm not holding that against the Government, but I'm
18 saying it's not totally off the wall what Mr. Gasner is saying.
19 So I won't -- you understand, you know, the letter and the
20 spirit of my ruling, and I'm just trying to get to a point
21 where both sides can fairly present their positions with
22 respect to these matters.

23 **MR. GASNER:** Thank you, Your Honor.

24 **MR. HEMANN:** Yes, Your Honor.

25 **THE COURT:** All right.

1 **MR. GASNER:** I don't hold out much hope that I'm going
2 to convince the Government on maybe anything other than my A
3 material, and maybe not even that. So I think the Court needs
4 to be prepared to just rule on it.

5 **THE COURT:** I'm prepared. I don't expect huge
6 examination and cross-examination of your legal assistant. I
7 would not want to put her on the spot, and I think it gets
8 into -- it gets a little awkward, I think.

9 So you all work it out. You've been very good about doing
10 that, and I will see you all tomorrow.

11 **MR. HEMANN:** Your Honor, we plan to accuse her of
12 writing the anonymous letter, so you should be prepared for
13 that.

14 **THE COURT:** That would be the stuff that movies are
15 made out of.

16 (Laughter)

17 (Proceedings adjourned at 1:55 p.m.)

18 ---oOo---

19

20

21

22

23

24


25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

CERTIFICATE OF REPORTER

I certify that the foregoing is a correct transcript
from the record of proceedings in the above-entitled matter.

DATE: Tuesday, February 11, 2014



Jo Ann Bryce, CSR No. 3321, RMR, CRR, FCRR
U.S. Court Reporter